

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF NEW HAMPSHIRE

* * * * *

IN RE: ATRIUM MEDICAL CORP.
C-QUR MESH PRODUCTS LIABILITY
LITIGATION

*

*

*

*

*

*

*

* * * * *

REDACTED TRANSCRIPT OF SPECIAL HEARING
BEFORE THE HONORABLE LANDYA B. MCCAFFERTY
AND
THE HONORABLE CHARLES S. TEMPLE

APPEARANCES:

For the Plaintiffs:

Jonathan D. Orent, Esq.
Motley Rice, LLC

James B. Matthews, III, Esq.
Blasingame Burch Garrard & Ashley

David Selby, II, Esq.
Bailey & Glasser, LLP

Susan A. Lowry, Esq.
Russell F. Hilliard, Esq.
Upton & Hatfield, LLP

Anne W. Schiavone, Esq.
Holman Schiavone, LLC

D. Todd Mathews, Esq.
Gori Julian & Associates, PC

Adam M. Evans, Esq.
Hollis Law Firm, PA

APPEARANCES CONTINUED:

For the Defendant:

Enjolique D. Aytch, Esq.
Rebecca Ocariz, Esq.
Hugh J. Turner, Jr., Esq.
Akerman, LLP

Pierre A. Chabot, Esq.
John E. Friberg, Esq.
Wadleigh, Starr & Peters, PLLC

Court Reporter:

Susan M. Bateman, LCR, RPR, CRR
Official Court Reporter
United States District Court
55 Pleasant Street
Concord, NH 03301
(603) 225-1453

1 P R O C E E D I N G S

2 THE CLERK: The Court has before it for
3 consideration today a special hearing science day in Civil
4 Case 16-md-2753-LM, In Re: Atrium Medical Corp. C-Qur Mesh
5 Products Liability Litigation.

6 JUDGE MCCAFFERTY: All right. Good morning all.
7 It's good to see everybody.

8 We have a number of people via phone listening in.
9 However, the Court has muted the phone so we don't have music
10 interrupting our hearing as we did at one of our last
11 hearings. So we do have a number of folks who are listening
12 in and hopefully can hear everything that we're saying.

13 We will have a transcript of this science day that
14 will be made available to everybody involved in the case,
15 parties, litigants, and the PowerPoint will be made an
16 exhibit so that people who are listening and want to look
17 back over moments in this hearing, parts of the transcript,
18 and match it up with various exhibits, they'll have the
19 opportunity to do that.

20 My understanding is that plaintiffs are going to
21 occupy the first hour and a half, defense counsel will occupy
22 the next hour and a half, and we envision this taking
23 essentially the morning.

24 Okay. So I'm pleased to welcome Judge Temple to
25 our courthouse and to preside with him today for this science

1 day.

2 It's a little warm in here. We're hopeful that
3 they will be pumping some cool air in soon so hopefully
4 you'll feel some relief from the heat. I can feel it up here
5 already.

6 JUDGE TEMPLE: It's hot.

7 JUDGE MCCAFFERTY: All right. So without further
8 adieu, let me turn it over to plaintiffs' counsel.

9 MR. HILLIARD: Thank you, your Honor. Good
10 morning, your Honors.

11 As it may please the Courts, my name is Russ
12 Hilliard, I'm plaintiffs' liaison counsel, and I just want to
13 introduce the two attorneys that will be making the
14 presentation this morning on our behalf.

15 First is Attorney Jonathan Orent. He will do the
16 first half of the presentation, then followed by Attorney
17 James Matthews.

18 JUDGE MCCAFFERTY: Thank you. Good morning.

19 JUDGE TEMPLE: Good morning.

20 MR. ORENT: Good morning, your Honors.

21 I would just like to introduce my colleague, John
22 Knowles, who will be running the technology for me this
23 morning.

24 JUDGE TEMPLE: Good morning.

25 MR. ORENT: So it's estimated that about 5 percent

1 of the population will develop an abdominal wall hernia over
2 the course of their lifetime, but 70 percent of hernias that
3 develop are related to the inguinal area.

4 JUDGE MCCAFFERTY: Say that again.

5 MR. ORENT: About 70 percent of hernias that
6 develop are inguinal hernias as opposed to ventral or
7 abdominal -- true abdominal hernias, more in the groin if you
8 will, but 900,000 plus, almost a million surgeries are done a
9 year to correct hernias in the United States.

10 There are several major types of hernias. Starting
11 with the highest up in the abdomen is the epigastric. That's
12 at the upper abdomen above the midline or at the midline.

13 Then you have incisional hernias which often occur
14 at the site of surgeries like prior C-sections or other
15 abdominal surgery sites where there's a weakness that
16 develops due to the prior surgery.

17 You then have umbilical hernias at the navel. Then
18 you have what's called a direct inguinal hernia, which is
19 near the opening of the inguinal canal, and then indirect
20 hernias which are actually at the opening of the inguinal
21 canal. And then finally you have what are called femoral
22 hernias.

23 As I said before, inguinal hernias are the most
24 common type of hernia. They make up about 70 percent of all
25 hernias. These hernias occur when intestines pushes through

1 a weak spot. And that's really by definition what a hernia
2 is. It's when intestines pushes through a weak spot or tear
3 in the abdominal wall, and this is oftentimes in the inguinal
4 canal.

5 So if you look at your screens, this is the
6 depiction of an inguinal hernia. You can see where the
7 inguinal canal is and actually when the herniation, the
8 intestines actually come through that inguinal canal, that's
9 that indirect hernia. If it comes near the inguinal canal
10 but doesn't actually go through, that's what we call the
11 direct inguinal hernia.

12 And just for landmarks, this is a male depicted
13 here. The herniation is in close proximity to the spermatic
14 cord.

15 A ventral hernia I think is when we all talk about
16 hernias what we generally think about, and that's a true --
17 in the stomach area, to use lay terms, and that's a bulge
18 from an opening in the muscles of the abdomen. The hernia
19 can occur at an incision site, as I mentioned before, above
20 the navel, which would be an epigastric, or other spot
21 wherever there's muscle weakness.

22 As you see here depicted is the intestines coming
23 through the musculature in the abdominal wall protruding
24 below the skin, and the hernia is the hole itself depicted
25 here.

1 This is a cross-section of an abdominal wall, and
2 what I've added to this picture is the abdominal pressure.
3 So when we talk about hernia repairs, one of the things
4 that's important to understand is that there's a great deal
5 of pressure being exerted in multiple directions, both coming
6 from behind the abdominal wall, but then it's also going to
7 the sides. And so any hernia repair has to as closely as
8 possible approximate and provide support to be able to keep
9 both the organs inside but also maintain strength so as not
10 to reherniate there.

11 So looking at the different layers, that top layer
12 is skin, the very bottom layer is the peritoneum, and I'm
13 calling that out pretty early on because very much of the
14 discussion today is going to be about the peritoneum and
15 surgeries that go on below the peritoneum. That's one of the
16 very common ways of doing these surgeries is putting mesh
17 below the peritoneum, so I want to just call that piece of
18 anatomy out to you.

19 Above that there's a layer of fascia and muscle and
20 then another layer of fascia, and then there's the skin and
21 the accompanying subcutaneous fat.

22 So when you're talking about hernia repairs,
23 there's several different treatment modalities that can be
24 utilized. They can roughly be broken down into two
25 categories, primary suture repair and graft repair or mesh

1 repair. There are several subcategories of mesh repairs.
2 There are synthetic permanent meshes, biologic meshes,
3 synthetic absorbable meshes and composite meshes. And really
4 what that means is that -- that's just what the graft
5 material is made out of. And when you're deciding what kind
6 of surgery to do on a particular patient, obviously you want
7 to weigh the risks and benefits of each of those procedures.

8 So traditionally primary suture repair, that is,
9 using stitches, was the mainstay of surgery up until the
10 1950s for curing hernias.

11 Beginning in about 1958 surgical grafts really took
12 off and polypropylene mesh quickly gained favor. However,
13 primary suture repair, that is, using stitches, has never
14 been fully replaced and it's very commonly used for small
15 hernias in the two-to-three-centimeter range today, still
16 using the same technique that William Mayo developed over a
17 hundred years ago, and that's called the vest-over-pants
18 approach.

19 There are some other techniques that are used for
20 primary suture repair today. There are individuals who use
21 steel sutures. In certain clinics that has been a noted
22 successful surgery that has rates very comparative to graft
23 repairs.

24 Now, it's important to understand there are some
25 studies that have noted very high recurrence rates in hernia

1 repair without graft augmentation, and that's one of the
2 major reasons why folks are using grafts, to help with
3 surgeries. However, numerous studies indicate that the
4 surgeries involving these grafts actually have a smaller
5 reduction in recurrence than previously believed.

6 One of the major reasons for that is that the
7 quality of the studies that have been done most recently are
8 actually a better quality of study comparing native tissue or
9 primary suture repair to graft repair. But generally
10 speaking today, the majority of surgeons who do primary
11 suture repairs are doing them on smaller herniations.

12 JUDGE MCCAFFERTY: Explain graft for me.

13 MR. ORENT: Sure. A graft is just an implant. It
14 can be anything. It could be an artificial material, like a
15 polypropylene polymer. It could be cadaveric tissue. It
16 could really be anything. It could be anything that's
17 imported into the body to assist, in this case, to assist in
18 the hernia repair. The patient's own tissue could be used.
19 There's a variety of different processing methods and
20 techniques that can be used.

21 So when we talk about graft repair versus primary
22 suture repair, in terms of risks what we're really talking
23 about with graft repair is a lower chance of recurrence but
24 also a higher potential infection, fistula, mesh extrusion
25 and bowel injury compared to those suture repairs.

1 Primary suture repairs have traditionally been
2 thought to have a higher chance of recurrence, though it's
3 now thought that it's comparable in some circumstances with
4 certain techniques, but generally speaking it's believed that
5 there is a slightly higher chance of recurrence.

6 Now, again, when we're talking about surgical
7 grafts, what we're talking about are scaffolds, essentially,
8 to support the tissue. And one of the reasons that grafts
9 are necessary is that connective tissue doesn't actually
10 regrow after fetal stages in life. So scar tissue is what
11 grows back after any surgery, and at a maximum that has about
12 80 percent the strength of the original tissue that was in
13 the space.

14 So as scar matures -- and that's assuming the best
15 case scenario, that you're getting approximately 80 percent
16 of that strength to maintain the internal pressures and the
17 lateral pressures.

18 So mesh or other graft materials are designed to
19 provide a scaffolding. We would like to think about it as
20 rebar in concrete for the repair of soft tissue. This
21 additional structural support is designed to minimize that
22 chance of recurrence.

23 So with a graft repair -- and in this case I'm
24 going to focus for a little bit primarily on polypropylene
25 meshes. There are two general categories of graft repairs.

1 One is an open hernia repair with mesh, and then the other is
2 a laparoscopic repair.

3 So when we talk about an open repair, we're talking
4 about an incision that is made directly over the hernia. And
5 again, we're talking about the hole in the abdominal wall.

6 The hernia surgeon identifies the hernia sac. And
7 as I mentioned and I called out before, that there is a layer
8 of tissue called the peritoneum that holds all of our
9 internal organs in. So when you hear the term hernia sac,
10 really what we're talking about is peritoneum that is now
11 outside of the abdominal cavity.

12 So the surgeon first identifies the hernia sac,
13 reduces its contents, that is, the surgeon pushes the hernia
14 back through so that he can identify the defect, the hole in
15 the abdominal wall. Mesh is then placed over the entire area
16 of the hernia allowing a sufficient overlap, and then it's
17 secured in place.

18 And there are a number of ways of securing it.
19 Often sewing it. There's a newer technique where they use a
20 fibrin glue. Fibrin is a protein in blood that typically is
21 associated with clotting, but the newer fibrin glues allow it
22 to stick in place without using sutures. Then the skin
23 covering the mesh is sewn together and the repair is
24 completed.

25 Laparoscopic hernia repair begins with three to

1 five small incisions, each measuring less than an inch. A
2 laparoscope is used, and the hernia surgeon then goes in,
3 looks inside from below the hernia and reduces the hernia,
4 puts mesh in place, secures it with sutures. And the
5 advantages of the laparoscope are obviously quicker recovery
6 time because there's a smaller wound, fewer wound
7 complications theoretically, quicker recovery time, and
8 reduced pain.

9 And this is an example of what's called an
10 intraperitoneal mesh. So this is below the peritoneum. And
11 you can see, if you're looking at this picture, there are a
12 number of tacks close to the edge securing this particular
13 mesh in place.

14 JUDGE TEMPLE: Which type of repair is used more
15 often, the open or the laparoscopic?

16 MR. ORENT: I think more and more surgeons are
17 using laparoscopic repairs, particularly the intraperitoneal
18 approach, but it does vary depending upon what particular
19 subtype of hernia, the size of the hernia, and a variety of
20 other factors, but generally speaking in recent years the
21 laparoscopic intraperitoneal approach has become very, very
22 popular.

23 And that's actually -- the intraperitoneal
24 approach, which is going below all of the tissue layers right
25 up against the intestines, is actually an approach that was

1 first developed in the early 1990s. It was very highly
2 controversial in the medical community because for the first
3 time hernia surgeons operating were placing grafts in direct
4 contact with bowel. And this has gained tremendous
5 popularity over the years despite the higher complication
6 rates because it is a theoretically easier surgery to
7 perform, there is a theoretical benefit of quicker recovery
8 times, less pain, and so on and so forth.

9 If you look at this next chart, and I'm going to go
10 through each of these in turn, here are four examples of the
11 different placement methods for hernia meshes.

12 So if you look at (a) here, this is an individual
13 who would be standing up, you can see that that yellow line
14 closest to the intestines, that's that peritoneum. So letter
15 (a) is actually the intraperitoneal approach, also called
16 IPOM, and you can see right there that there is mesh which is
17 depicted by the red right up against that intestine.

18 If you move out a little bit to Exhibit B -- or to
19 the letter (b) there, you're now looking at a mesh which is
20 an extraperitoneal sublay which is right below the
21 musculature in the abdomen.

22 Letter (c) is actually within, it's an inlay
23 position, it's within the musculature, and then letter (d) is
24 actually on top of the musculature. It's on top of all of
25 the fascia and it's just below the subcutaneous fat and skin.

1 So again, looking at our cross-section to give you
2 the best view -- again, we're looking at that skin layer, the
3 intra-abdominal subcutaneous fat tissue, and here for the
4 onlay approach that mesh is onlayed on top of the fascia and
5 muscle, and you can see that it is sutured in place here in
6 several locations.

7 Again, we're looking at an inlay mesh now. This is
8 within that fascia, within that musculature, and it's sutured
9 in place. It is a smaller graft than the onlay mesh.

10 Here's another depiction of -- this is an underlay.
11 So you're actually directly below the muscle.

12 JUDGE MCCAFFERTY: I'm a little bit lost on this
13 one. Can you go back and tell me what I'm looking at?

14 MR. ORENT: Sure. So if you're looking at the
15 green --

16 JUDGE MCCAFFERTY: Yes.

17 MR. ORENT: -- that is actually the mesh.

18 JUDGE MCCAFFERTY: Okay.

19 MR. ORENT: So that right there, that's the mesh.
20 I didn't realize I could do this.

21 So the green is the mesh. These are the major
22 muscles. So what you're doing is you're actually inlaying it
23 within the musculature.

24 Now, the difference is --

25 JUDGE MCCAFFERTY: When you say difference, the

1 difference between these two.

2 MR. ORENT: Correct.

3 JUDGE MCCAFFERTY: Okay.

4 MR. ORENT: So with each of these layers -- it's
5 not clearing but --

6 JUDGE MCCAFFERTY: And there are only two sutures;
7 is that right?

8 MR. ORENT: Yes.

9 I clearly didn't focus on working on this
10 yesterday.

11 But if we look at the different layers -- so here
12 you're actually going down with each position. So that first
13 onlay position the mesh is sitting on top of the different
14 layers. We then move within here, it's moving down one
15 layer.

16 JUDGE MCCAFFERTY: Okay.

17 MR. ORENT: It's moving down another layer here to
18 the underlay.

19 And then finally, with the intraperitoneal
20 approach, you're actually below all of the tissue planes and
21 the intestines would be right here.

22 So actually, we've prepared some animations to show
23 what several hernia surgeries might look like.

24 JUDGE TEMPLE: So looking at this one, for
25 instance. There's no depiction of exactly where the hernia

1 would be, but it would be somewhere below that mesh?

2 MR. ORENT: Correct.

3 JUDGE TEMPLE: Okay.

4 MR. ORENT: So we prepared a couple of videos here
5 that actually will show you what a surgery looks like, and
6 I'll walk you through what some of those repairs look like.
7 I think it will provide a little more clarity.

8 JUDGE TEMPLE: Thank you.

9 MR. ORENT: So we're starting off with a
10 laparoscopic inguinal hernia repair.

11 So in our little patient here you can see -- these
12 are the inguinal canals, and this is an indirect hernia
13 repair. The herniation is actually coming through the
14 inguinal canal.

15 Now, in this particular patient, this is a very
16 severe hernia. It starts off as being incarcerated.

17 So you have several different types of severity,
18 but an incarcerated hernia, then this becomes more severe.
19 It actually becomes strangulated. So this doctor is going to
20 use a total extraperitoneal laparoscopic repair.

21 JUDGE MCCAFFERTY: What does incarcerated mean?

22 MR. ORENT: Sure. Can we pause this?

23 So an incarcerated hernia -- so there's really
24 several layers of severity of a hernia.

25 There are reducible hernias, where actually the

1 hernia can easily go back in below the surface of the hole.

2 Then you get what's called an incarcerated hernia,
3 which is not readily reducible, it's essentially stuck, but
4 it's not being cut off, if you will.

5 By the time you get a strangulated hernia, you're
6 now losing function of that piece of intestine that's now
7 protruding.

8 So in terms of severity, you start with a reducible
9 hernia, moving to an incarcerated, moving to a strangulated,
10 and a strangulated hernia actually would be an urgent repair
11 that's needed because you're now putting patients in
12 significant danger.

13 So in this particular patient they're now showing
14 us three laparoscopic ports here, and this is -- again, this
15 is going to be a laparoscopic repair.

16 And the first thing that occurs is once they make
17 their incisions, they actually fill up the abdominal cavity
18 with CO2. What this is doing is this is going to allow the
19 surgeon to actually then input the laparoscope and be able to
20 look inside the hernia, look inside the abdominal wall. In
21 this case, look inside the inguinal canal and see the hernia.

22 So the first thing that they want to do is actually
23 reduce the hernia sac.

24 Can you pause this for a minute, John? Thank you.

25 So when we're looking at this -- we've been talking

1 about tissue layer so far. The hernia sac is the peritoneum,
2 and so in this particular depiction the clear little sac,
3 that's the peritoneum. But in this case, you can see where
4 it's going through the inguinal canal, that's the hernia.
5 That's the hernia sac leaving the body.

6 So in this case the surgeon is first reducing the
7 hernia, that is, bringing the hernia back within the body.
8 And that's what's happening here is they're bringing the
9 peritoneum in, and here they're using the mesh to prevent a
10 reherniation.

11 Now, that mesh is going to be brought in through
12 the laparoscope and then it's going to be tacked in place all
13 around. We have a later animation that will show that in
14 more detail, but this actually is just designed to show you a
15 little bit of what an inguinal hernia repair is.

16 Now, we're going to talk about the laparoscopic
17 repair of a ventral incisional hernia, and that again is the
18 -- when I think of a hernia, even though it's only about 30
19 percent of hernias, I tend to think of ventral hernias, and
20 this is a laparoscopic repair of one of those.

21 So as you can see, there's a herniation right here
22 where it's actually going through the connective tissue
23 between the muscle. And again, in this individual here is
24 the large and small intestines and the peritoneum.

25 This is a depiction of the abdominal muscle. So

1 this is really -- what we were looking at previously in terms
2 of a cross-section, now this is a horizontal view.

3 So when we think about ventral incisional
4 hernias -- again, an incisional hernia -- can you just pause
5 that for a second -- is a hernia at the site of a prior
6 surgery. So in this case -- this is a scar line. So the
7 hernia is coming through -- this is, again, an incisional
8 hernia, ventral hernia.

9 And this individual in my experience has a variety
10 of symptoms. But again, looking at the hernia here, you see
11 the hernia sac which is that peritoneum now outside the body.

12 We're moving from a reducible hernia to now
13 creating an obstruction, and this individual is losing
14 functionality. So they're going to start developing pain,
15 perhaps vomiting, constipation, and so this is going to be a
16 procedure that needs to be done to fix this individual.

17 As we move into severity, this is now becoming
18 strangulated and presents a danger to this patient if surgery
19 is not done because there now would be a loss of anatomical
20 function.

21 So in this case several ports are made. These are
22 the small, less than an inch, port, and this individual is
23 being filled with carbon dioxide gas so that the doctor can
24 get the laparoscope in and view the hernia from the inside.

25 And now they're putting in the laparoscope, which

1 is the camera. And within the intestines here we can see
2 both the hernia sac, and they're inserting the tools to
3 perform the surgery.

4 If you could pause here, John.

5 You can see these are adhesions. So the first part
6 of fixing this hernia in order to reduce this particular
7 hernia, the surgeon first has to clip away and remove the
8 adhesions.

9 Continue, John. Thanks.

10 So this surgeon is actually cutting the adhesions,
11 and these are adhesions between the peritoneum and the
12 abdominal wall. He's reducing the hernia. When he cut
13 peritoneum, the hernia sac was cut. He's actually doing a
14 primary closure of the wound here, that is, stitching up the
15 actual hernia before placing mesh on top of it.

16 Could we go back there for one second? Can you
17 pause that?

18 And so the mesh is actually going to be tacked in
19 place. The mesh is going to be inserted through the
20 laparoscope, it's going to be rolled up and deployed, and it
21 will actually be tacked in place.

22 But if you look at the four corners of this mesh,
23 these little round things, those are sutures. They're
24 actually used to lift up the mesh. And I believe Mr.
25 Matthews will show you a little bit more detail on that

1 later, but this is a mesh that is being depicted being
2 inserted from below that is on top of or below the primary
3 wound closure.

4 John, can you continue?

5 They're now going to reduce the CO2 and remove the
6 tools, and essentially the surgery is complete at this point.

7 So going back to our cross-section to show you --
8 this is actually all four, and actually we've added a fifth
9 layer in here, fifth subtype by showing the sublayer or the
10 inlay mesh showing two different types of that, essentially.

11 But these are all in one depiction here. So if you
12 look, the top layer is onlay, then you're going inlay, the
13 retrorectus, so those are the retrorectus muscles, the sublay
14 there. And then you're going underlay, that's the fascia
15 that's directly above the peritoneum, and then finally
16 underlay or intraperitoneal approach, the IPOM approach.

17 JUDGE TEMPLE: Is there any specific percentage of
18 what mesh, whether it be onlay, inlay, or the other types,
19 that they used in this surgery?

20 MR. ORENT: So right now with the vast majority of
21 surgeries, I couldn't give you a statistic, but I would say
22 that the vast majority of them today involve the use of mesh
23 as a graft. I would say that the vast majority use
24 polypropylene mesh as a graft.

25 Now, when we get into the intraperitoneal layers,

1 those all generally use and require what's called a tissue
2 separating layer, and because you're now up against
3 intestine, you have to prevent that mesh from attaching to
4 the intestine and you need to have a way to do that safely.

5 So a variety of manufacturers have come up with
6 different approaches, and that's really existed from the late
7 1990s forward that this intraperitoneal approach has come
8 along where you've needed this second layer.

9 So some manufacturers use other polymers, like
10 ePTFE, which is essentially a Teflon coating on part of the
11 mesh and then polypropylene above it. Other manufacturers
12 use other chemicals.

13 In these particular cases Atrium used fish oil,
14 Omega 3 fatty acids, as a mechanism to allow for safety.

15 So when we talk about tack placement, this is a
16 tool that's used for the tack placement. Once you suture in
17 place, then you want to tack around all of the edges to make
18 sure that the mesh device is securely in place.

19 Again, you can see here sort of the abdominal
20 walls, the subcutaneous fat, and the skin.

21 So how mesh works -- and in this case I'm going to
22 talk primarily about polypropylene because that's the most
23 commonly used mesh. And in these cases the C-Qur devices are
24 in fact coated polypropylene meshes, so the concepts behind
25 the use of polypropylene as a graft still apply here.

1 So once you have an implant, the body begins a
2 foreign body reaction. And the first thing that occurs is
3 blood and debris remains from the surgery, and so that needs
4 to be cleared out. And the way the body does that is by
5 using fibrin and a variety of other processes related to the
6 inflammatory process.

7 So here the initial implant occurs. There's
8 space -- if you can think about the mesh, there's actually a
9 space where the tissue once was. There's now mesh in that
10 space. There's blood and debris. Essentially that's going
11 to be removed and filled in with initial scar deposition.
12 That's called type 3 collagen, which is a less organized type
13 of collagen than what will ultimately be placed there.

14 Over time, a matter of weeks, that scar tissue is
15 going to innervate, that is, nerves are going to grow into
16 that scar tissue and it's going to vascularize, blood vessels
17 are going to form in that place.

18 That scar tissue is going to contract. Over the
19 first four weeks that scar tissue is going to force a
20 contraction of the graft of about 30 to 50 percent.

21 So we want to talk about -- there's really two
22 types of polypropylene meshes. There's a lightweight large
23 pore mesh and a heavyweight small pore mesh.

24 Prior to the 1990s heavyweight small pore meshes
25 were widely uses. Now large pore meshes, lighter weight

1 meshes are used. Sometimes they're called medium weight
2 meshes as opposed to lightweight meshes, but you usually see
3 something on the order of a 1-to-3-millimeter pore size, that
4 is, the hole between pores is usually about 1 to 3
5 millimeters, and a weight would be in the 40 to 50 grams per
6 meter cubed.

7 And the design of lightweight large pore meshes,
8 it's designed to reduce the foreign body reaction and to
9 allow healthy ingrowth, because the way that mesh works is
10 actually scar tissue grows between the pores of the mesh and
11 secures the mesh in place, and the scar tissue is now, again,
12 that rebar in concrete. The scar tissue is the concrete, the
13 mesh is the rebar, and you have additional structure and
14 support in theory.

15 So ultimately on a sheet of polypropylene mesh
16 you're going to get ingrowth from both sides, from the top of
17 the mesh and the bottom of the mesh. Scarring will go
18 through each of the pores. Ultimately that's going to
19 develop into what they call type 1 scar tissue, type 1
20 collagen, which is a mature scar tissue.

21 Now, with heavyweight small pore mesh you get a
22 phenomenon called fibrotic bridging, which means that the
23 filaments are too heavy and too close together and that the
24 scar tissue actually overwhelms what healthy scar ought to be
25 and forms a single scar mask, called scar plate, and that can

1 inhibit anatomic function. It can cause pain. It can cause
2 -- the contracture of the scar tissue actually could cause
3 significant injury due to the nerve pain and creates a much
4 higher rate of foreign body reaction over a long period of
5 time.

6 So when you're looking at different grafts for soft
7 tissue repair, again, you're looking at -- we've talked
8 mostly so far about polypropylene. We are talking about
9 autografts, xeno or allografts, and synthetics.

10 So autografts are donor site native tissues. They
11 generally have -- you don't have biocompatibility issues, but
12 it's not a very common usage because there are many patients,
13 particularly patients who may not be in the best of health,
14 who aren't qualified to donate their own tissue from another
15 site and reinforce.

16 So then you look at xenografts and allografts,
17 which are tissue that are processed, and usually
18 commercialized, that support ingrowth. There's ease of
19 handling. Biocompatibility is greater than a synthetic
20 because a foreign body reaction will go away once that
21 material disappears. It will disappear with time.

22 Synthetics, on the other hand, stay forever, and
23 they have really good mechanical properties, much lower cost,
24 but there's a higher foreign body reaction making them more
25 susceptible to infection, pain, and ultimately degradation of

1 the graft itself.

2 So since the 1950s there's been a lot of studies on
3 the use of grafts in the human body. So the first thing that
4 they learned and began writing about in the 1950s was that
5 any implanted graft device, it doesn't matter where in the
6 body, but we're focusing primarily on meshes, that it must
7 not be physically altered by tissue fluids. What we mean by
8 that is it's chemically inert to the body. It doesn't break
9 down. It doesn't change.

10 They also learned in the 1970s that the granulation
11 tissue in the body can be formed by friction between the
12 tissue and the implant. Bacteria are protected in
13 interstices. And when we talk about pore size and moving
14 from a small pore heavyweight mesh to a large pore
15 lightweight mesh, the pore size matters because macrophages
16 and neutrophils and other constituents of the immune process
17 and the inflammatory process need to be able to fight off
18 bacteria and allow for healthy ingrowth and replacement of
19 that debris and damaged material, blood, and so -- bacteria,
20 though, can colonize within what are called interstices.
21 Interstices are actually the knots within mesh, if you think
22 about these as being knitted polypropylene. There's a knot
23 between the pores that hold the mesh strands together and
24 bacteria can grow within. Pore size is important for tissue
25 incorporation. It's largely thought today that you need a

1 1 millimeter effective porosity. Effective is what happens
2 after contracture, that tissue contracture. You need to be
3 left with about one millimeter is what is currently thought.

4 We've also learned in the 1980s that heat-exposed
5 polypropylene releases biologically active degradation
6 products. It degrades. Mr. Matthews will talk more about
7 that later.

8 Immediately upon insertion there's a race to the
9 surface between the bacteria and the host defenses. So
10 bacteria is trying to proliferate and macrophages and other
11 inflammatory responses are trying to destroy that. So it's a
12 race to see who wins.

13 Bacteria can migrate along synthetic polymer
14 fibers. That was 1993. Bacteria can adhere to materials and
15 create a biofilm.

16 By the 1990s, Dr. Klosterhalfen and his group
17 reported, and it was widely accepted, that polypropylene mesh
18 contracts up to 30 to 50 percent after four weeks and that
19 surface toughness and smoothness promotes the bacterial
20 wicking of meshes. Bacteria can be wicked. And bacterial
21 colonization by 2000 was found in 33 percent of explanted
22 mesh devices.

23 So when you're talking about a graft device, you
24 want to think about a variety of factors in making sure that
25 you're using the best device possible for your patient, and

1 you would want to look at the tensile strength, because
2 you're dealing with the body, body pressures, and you want to
3 make sure that you're going to reduce the chance of
4 recurrence. That's the entire reason for using a graft.

5 Pliability, that can also be thought of as
6 elasticity. The body has a certain range of motion that it
7 needs to accomplish, and so you don't want to inhibit the
8 body's natural movement. It needs to be biocompatible. That
9 is, the body can't reject it. It needs to be easily
10 manipulated. The surgeon needs to be able to go in and
11 utilize this device. It's got to be durable because it's
12 going in for a lifetime.

13 The tissue ingrowth, it is essential because these
14 devices don't work if the tissue doesn't grow into the
15 device.

16 It needs to have a controlled infection rate, and
17 it needs to control for post-inflammatory response and
18 minimize seroma formation.

19 So I'm just going to start briefly on the history
20 of C-Qur, and then I'm going to turn it over to Mr. Matthews.

21 JUDGE MCCAFFERTY: What's seroma?

22 MR. ORENT: Seroma would be like a fluid pocket
23 essentially, and so you want to -- a seroma can form and
24 often forms in most surgeries, but it needs to be cleared.
25 The device needs to have the ability to clear that out, and

1 you don't want to have long-term seroma formation.

2 JUDGE MCCAFFERTY: Okay. So that's not a good
3 thing.

4 You mentioned a doctor or a group of doctors at one
5 point.

6 MR. ORENT: In Germany, yes.

7 JUDGE MCCAFFERTY: Will we hear that name
8 frequently or is that just something --

9 MR. ORENT: You might. That group in Germany has
10 published a lot since the 1990s on polypropylene.

11 JUDGE MCCAFFERTY: What was the group? What was
12 the doctor's name?

13 MR. ORENT: It was Dr. Klosterhalfen.

14 JUDGE MCCAFFERTY: Klosterhalfen.

15 MR. ORENT: K-L-O-S-T-E-R-H-A-L-F-E-N.

16 MR. JAMES MATTHEWS: Yes.

17 MR. ORENT: Dr. Klinge and Dr. Junge, J-U-N-G-E.
18 Is he part of that group?

19 MR. JAMES MATTHEWS: Yes.

20 JUDGE TEMPLE: What was the second one?

21 MR. ORENT: Klinge, K-L-I-N-G-E.

22 JUDGE TEMPLE: Thank you.

23 MR. ORENT: They're in a group outside of Auckland,
24 Germany, that's published very heavily on this.

25 JUDGE TEMPLE: You mentioned tensile strength. Can

1 you explain that for me?

2 MR. ORENT: Sure. Tensile strength is actually the
3 strength of material. So if you think about a backpack, for
4 example, when we talk about tensile strength, it's the
5 ability to hold the force of whatever material might be in
6 that backpack. And so the tensile strength of the mesh, it
7 needs to be strong enough to be able to absorb the body's
8 pressures, but you don't want it to be too strong because if
9 it's too strong you're over-engineering the device and you're
10 going to limit motion. So there's a very fine balance to be
11 had between all of these different properties.

12 JUDGE MCCAFFERTY: In terms of this timeline, can
13 you put that in any context for us in terms of all of these
14 various things that are discovered, how does that affect --
15 is this affecting the different types of manufacturing of
16 mesh? Are companies learning about these new bacteria
17 studies, et cetera, and then they're reformulating the mesh?

18 MR. ORENT: Correct. All of this knowledge, and I
19 stopped intentionally at 2000, this all predates anything
20 that occurs in this particular case.

21 But over the 1990s through the early 2000s, and
22 actually through to today, meshes are designed with these
23 concepts in mind. These are all well-known concepts.

24 In fact, the group that I mentioned has consulted
25 with Ethicon, one of the Johnson & Johnson entities that

1 makes some of these devices. They've consulted with others.

2 These are concepts that have been utilized in the
3 design of meshes and have fueled the movement away from small
4 pore, heavyweight meshes to lightweight large pore meshes,
5 and each iteration of these meshes as they develop over the
6 years they're taking advantage of the latest science, or
7 attempting to.

8 JUDGE MCCAFFERTY: Okay. It says bacteria
9 protected and it talks about bacteria migrating and then
10 adhering, and then the last one is bacterial colonization is
11 found.

12 MR. ORENT: Right. So what they've done is they've
13 actually gone back and looked at explants of hernia meshes
14 and done pathological studies of them. And when this
15 particular group did a study of explanted hernia meshes, they
16 found that about a third of them actually had the presence of
17 bacteria.

18 JUDGE MCCAFFERTY: Now, some bacteria is good
19 bacteria. These are bad bacteria?

20 MR. ORENT: Right. This is actually supposed to be
21 an area where, you know, we are in a clean space, if you
22 will.

23 JUDGE MCCAFFERTY: Okay.

24 MR. ORENT: There's not supposed to be
25 free-floating bacteria within this area. These are bacteria

1 that are harmless bacteria. They're pathogens.

2 JUDGE MCCAFFERTY: So that would be a negative
3 result. And so what would happen as a result in 2000 of this
4 finding? What would happen?

5 MR. ORENT: Well, in this example one of the things
6 that -- again, we're moving from a smaller pore to a larger
7 pore. The idea is that you want to allow for the immune
8 system to handle the bacteria.

9 Other surgeons have taken note of this, and some
10 surgeons actually will dip and soak their mesh in an
11 antibacterial substance for a period of time before actually
12 implanting it in the human body.

13 But this is, you know, a finding that really
14 affects the design of the meshes. So pore size being the
15 largest element here.

16 JUDGE MCCAFFERTY: All right. And so pore size
17 becomes larger after 2000?

18 MR. ORENT: Well, it's sort of moving. So in 1997
19 a doctor by the name of Ahmed publishes original
20 classification of meshes where he called .75 millimeters, or
21 75 microns, large pore.

22 That system is largely archaic now, as we've now
23 found that because of mesh contracture, you need to start
24 with a larger pore because you're going to end up with a
25 smaller pore. So the idea is that you want your mesh pore

1 size to be like 1 millimeter by the time contraction is done.
2 So that's why a lot of these newer meshes are 1 to 3
3 millimeters in pore size.

4 JUDGE MCCAFFERTY: Okay. And then some of what
5 ultimately is going to happen in our case is going to try to
6 deal with some of the findings that were made in 2000, 1999.

7 MR. ORENT: So all of these concepts are concepts
8 that play into the design of these devices that ultimately
9 these devices and manufacturers should have an awareness of
10 such that when they're designing their products, they need to
11 be designed to withstand each of these items.

12 Our contentions in this case will be ultimately
13 that there are some design flaws with the devices that do not
14 overcome these items, but this is what we believe the state
15 of the science was by the year 2000, and I think that this is
16 all generally accepted information, and it has continued. I
17 mean, we stopped at 2000 because we thought that that was an
18 appropriate place to stop. Because of the contentions in
19 this case, we wanted to stay away from any area that might be
20 controversial. So we stopped, figuring that 2000 was far
21 enough away from the beginning of some of the key facts in
22 this case, that this should be a relatively noncontroversial
23 knowledge that was out in the peer review public world.

24 JUDGE MCCAFFERTY: Okay. So one of the disputes
25 here will involve fish oil, Omega 3.

1 MR. ORENT: Correct.

2 JUDGE MCCAFFERTY: When is that introduced?

3 MR. ORENT: Mr. Matthews will talk about that in a
4 little bit, but that's introduced later. That's a method of
5 applying a tissue separation layer in the 2000s to prevent
6 adhesions and to minimize the inflammatory response.

7 So that's applied later, but this is all
8 foundational building blocks up to this point in time.

9 JUDGE MCCAFFERTY: Okay.

10 MR. ORENT: So just to finish up before I turn it
11 over to my colleague.

12 One of the things that we thought was important to
13 understand, because my colleague is going to be talking about
14 the 510(k) process a lot, that is, the FDA, what role does
15 the FDA have in all of this. And so as part of the history
16 of these devices it's really important to understand what the
17 FDA does and doesn't do.

18 And so there's really two processes the FDA uses to
19 approve or clear a device. What we've put out is the
20 Congressional Research Services publication on the FDA. And
21 these two processes are often confused.

22 So the first process is PMA, which is premarket
23 approval. And when we all think about medical devices, we
24 all think inherently that every device that we put on is a
25 safe device, that the FDA has done this traumatic amount of

1 testing, that it's been studied, that there's a gigantic
2 building outside of Atlanta where 60,000 people work and are
3 spending thousands of hours on every device looking at
4 hundreds of hours of human data that's been collected over a
5 long period of time, and that's actually just not the case.
6 Most of the devices that are sold in the United States are
7 sold under a 510(k) process.

8 Now, what 510(k) is, is that the FDA determines
9 that a device is substantially equivalent to another device
10 whose safety and effectiveness may never have been assessed.

11 So in 1976 the FDA laws that govern the regulation
12 of medical devices was passed. Devices that predate that
13 time were grandfathered into the market. So there is
14 something called a predicate device that every medical device
15 has, and if you follow a device back through the chain,
16 you'll get to that original device which was either PMA'd or
17 it was grandfathered by the 1976 act.

18 So when we talk about this, substantial
19 equivalence, when a company launches a new device, it does
20 not have to demonstrate safety or efficacy in the absolute
21 sense. It merely has to show that that device is
22 substantially equivalent to that prior device that it's
23 relying on.

24 So in practice, unlike premarket approval, the
25 510(k) process focuses solely on the device's substantial

1 equivalence. And according to the FDA and the Supreme Court,
2 when the FDA finds a device substantially equivalent to a
3 predicate device, it's done no more than find that the new
4 device is as safe and effective as the predicate.

5 Most often there are no systematic studies, no
6 human studies done of 510(k) devices. In fact, most often
7 these devices have never been implanted into a human being
8 before they're sold on the market.

9 So as you see, most of the studies supporting
10 510(k) submission are not clinical studies. What that means
11 is they're not human studies. They're done in a petri dish.
12 Substantial equivalence in many cases means only that the
13 device performs in similar fashion to the predicate under a
14 similar set of circumstances. As a result, many devices
15 never have to demonstrate safety and effectiveness through
16 clinical studies.

17 In addition to not requiring clinical studies,
18 three other characteristics mark the 510(k) process which
19 make it less rigorous than PMA.

20 One is premarket inspections of how devices were
21 manufactured are generally not required, and that's going to
22 be ultimately important in this case.

23 Post-market studies are not required by the FDA as
24 a condition of clearance.

25 And finally, FDA has a very limited authority to

1 stop the sale or withdraw clearance of a device if it's found
2 to be unsafe or ineffective.

3 So those are the hallmarks of the different
4 processes. And with that, I'm going to turn this over to Mr.
5 Matthews to complete the presentation for the plaintiffs
6 today, and he's going to focus primarily on the C-Qur devices
7 and the complications that can occur from implants.

8 MR. JAMES MATTHEWS: May it please the Courts. I
9 think that's the first time I've ever said that.

10 There are currently over a hundred different hernia
11 mesh products. When I say a hernia mesh product, that
12 includes these composite devices that we're going to be
13 talking about today that include both polypropylene and
14 something added as a coating. There are over a hundred in
15 all.

16 As background, all the early hernia meshes were
17 made from polypropylene plastic net, and I'm going to show
18 you one in a minute, but it's basically a net so that it
19 looks like that. You can envision like a tennis net, for
20 example. It looks kind of like that. It has holes in it,
21 pores in it. And later other polymers were introduced. For
22 example, there are polyester hernia meshes. There are what
23 John referred to as PTFE, or the Teflon is
24 polytetrafluoroethylene, but you'll hear PTFE as we go
25 through this case possibly.

1 But the main ingredient is polypropylene. Most
2 companies have used polypropylene for their hernia meshes.
3 And C-Qur mesh, the mesh part of the C-Qur is made out of
4 polypropylene.

5 So with the advent of the laparoscopic surgery that
6 you saw earlier and the placement of hernia devices inside
7 the peritoneum, as you saw earlier, there were developed
8 these new tissue-separating meshes, or we call them composite
9 meshes, and these are the ones that have the barriers on them
10 on the side where the intestine was so that the intestines
11 could not grow into the mesh. That would be a bad thing
12 because then the intestines can rupture, the mesh can erode
13 into the intestines. So you don't want the intestines to
14 grow into the mesh, and you don't want that pure
15 polypropylene to be touching the mesh.

16 It's also been found, it's undisputed I think, that
17 polypropylene by itself causes dense adhesions, and adhesions
18 are the scars that would grow between the intestines and the
19 polypropylene or the omentum, omentum is the fatty tissue
20 that covers the intestines, and the polypropylene.

21 There are adhesions in almost every surgery, and
22 what the surgeon can do with these adhesions when he has to
23 separate organs or separate intestines, he can just take his
24 finger and knock these adhesions away, like they're flimsy.
25 Some are not so flimsy and you need to cut them. Some are so

1 dense that it takes forever, hours, to cut through them. And
2 one of the issues that we're going to have in this case is
3 the dense adhesions that the plaintiffs will allege are
4 caused by this particular product.

5 In C-Qur the base mesh is polypropylene, as I said.
6 It's called ProLite. And ProLite mesh is a polypropylene
7 mesh with pores that are .8 millimeters.

8 JUDGE MCCAFFERTY: Can I ask you a quick question.

9 MR. JAMES MATTHEWS: Sure.

10 JUDGE MCCAFFERTY: So the adhesions that you're
11 talking about -- and the surgeon is going in before putting
12 the mesh in and sees the adhesions, but you just talked about
13 the mesh actually causing dense adhesions.

14 MR. JAMES MATTHEWS: Correct.

15 JUDGE MCCAFFERTY: And I'm confused as to --

16 MR. JAMES MATTHEWS: If you were to go into me
17 right now, and I have not had any abdominal surgery yet, and
18 you were to scope me, I may have this connective tissue,
19 these adhesions that are connecting the peritoneum to my
20 intestines or holding my intestines together, connecting my
21 intestines together, but those adhesions would be so flimsy
22 that I could take my finger and knock them down or I could
23 clip them very easily. Those are normal adhesions.

24 The adhesions that I'm talking about that form when
25 polypropylene is in the body are very dense scar tissue. So

1 it's two very different types of adhesions. But you're going
2 to see adhesions in almost every surgery, and in some of
3 these cases the surgeons describe adhesions in people who
4 have never had surgery before.

5 JUDGE MCCAFFERTY: Okay. So the dense adhesions
6 caused by the mesh, that's been discovered in a subsequent
7 surgery?

8 MR. JAMES MATTHEWS: Yes.

9 JUDGE MCCAFFERTY: Okay. So going back in to
10 repair something.

11 MR. JAMES MATTHEWS: Correct. So if there was a
12 problem and the surgeon went back in and he saw the mesh and
13 he saw these adhesions attached to the mesh and attached to
14 the intestines, I'm going to show you a picture of one in a
15 little bit, but that would be something that would be
16 subsequent to the first mesh surgery.

17 And the C-Qur products -- and Atrium makes a pure
18 polypropylene mesh. I'm going to show you that in a minute.

19 But in the C-Qur products the polypropylene mesh is
20 coated with a thick gel or fish oil and it's actually coated
21 on both sides, so that there are no pores once that fish oil
22 is on both sides. The idea would be that this fish oil would
23 go away over 90 to 120 days and then you would have pores and
24 there would be tissue ingrowth. And I'm going to get into
25 more in a little bit about how C-Qur would contend that the

1 mesh would fixate to the abdominal wall, but I'll get to that
2 in a minute.

3 But the basic components are the polypropylene and
4 the fish oil. Those are the two things that we're going to
5 be talking about. Is it okay if I move around and show you a
6 mesh?

7 JUDGE MCCAFFERTY: Okay.

8 JUDGE TEMPLE: That's fine. Sure. Yeah.

9 MR. JAMES MATTHEWS: So this is a ProLite mesh, so
10 this would be the pure polypropylene mesh, and this is --
11 actually if you're in the operating room, this is what the
12 nurse hands the doctor.

13 So if you open the box -- can I approach?

14 JUDGE TEMPLE: Yeah, absolutely.

15 MR. JAMES MATTHEWS: You can see I have a lot of
16 them in here, but these are the instructions for use that
17 come in every box. You'll see these in everything, and these
18 are going to be important in this case. They're in a hundred
19 different languages but the first page is the English
20 language.

21 They include more than just instructions. They
22 include warnings, adverse reactions, things like that, but
23 those come with every one.

24 Then this is what the -- this is the pure
25 polypropylene mesh. So there you can see it. I've got a

1 magnifying glass here if you want to look at it up close,
2 because you can actually see the pores when you do that.

3 So that's all polypropylene right there. So that's
4 the basic first component that we're talking about.

5 JUDGE TEMPLE: Just remind me again from Attorney
6 Orent's presentation, when did the fish oil application come
7 into play?

8 MR. JAMES MATTHEWS: I was going to get into that.
9 This is something that's unique to this particular product,
10 and it was first put on the market limited release in 2006
11 and major release in 2007. So this product or this category
12 of products made by this company is the only one that has
13 fish oil as the barrier.

14 JUDGE MCCAFFERTY: Okay. And that fish oil
15 actually covers the pore that we would see in this?

16 MR. JAMES MATTHEWS: Yes. And I'm going to show
17 you another one in a bit.

18 The current slide in front of you shows the mesh
19 that you've been looking at and that's the ProLite mesh. And
20 as we've talked about already, these are called tissue
21 separating meshes. And the idea is to prevent adhesions,
22 prevent ingrowth of the intestines into the mesh, yet you
23 want to have ingrowth into the backside of the mesh because
24 when the mesh is put in, what eventually holds it in place is
25 tissue growing into the pores. That's what holds it there.

1 It's like -- well, there's an ad from Atrium that
2 I'll show you in a little bit, but if you put concrete
3 squares out in your yard, grass will grow up between those,
4 and in this case if that grass covered those squares you
5 would have adequate tissue ingrowth. So that's what you
6 wanted with these meshes. You want it to hold it in like
7 that.

8 They put tacks in, but in most cases these tacks
9 are absorbable so they go away, and they put sutures in but
10 those sutures go away, so something's got to hold that mesh
11 into place. And that's really what this case is about, and
12 I'll get to that in a minute.

13 JUDGE TEMPLE: How long does that process take?

14 MR. JAMES MATTHEWS: Tissue ingrowth?

15 JUDGE TEMPLE: Yes.

16 MR. JAMES MATTHEWS: You can have adequate tissue
17 ingrowth within 2 to 3 weeks. It doesn't take that long.
18 But you have to have something for the tissue to grow into.

19 The next slide is a C-Qur product, and I'm going to
20 show you some of those in a few minutes, but this is just
21 what it looks like with the coating on it. And so this is a
22 ProLite mesh that you just looked at but it now has the fish
23 oil on it.

24 The next slide is a similar product, but this is
25 actually one of their lighter products that had less fish oil

1 on it, and you can see there is less fish oil there but still
2 no pores on that side.

3 This is an ad that was in General Surgery News, and
4 on the left side of the slide there is where I got the
5 concrete block with the grass growing through it idea because
6 it came from their own ad there, one of Maquet's ads. And
7 you can see they've got a little fish made out of fish oil
8 down there in their ad, but what it says is that this -- and
9 I've been calling it fish oil but it's a, according to this
10 ad a bioabsorbable Omega 3 fatty acid coating. And as I said
11 before, that's the only mesh with this particular coating,
12 and it's represented that the coating is bioabsorbable Omega
13 3 fatty acids, fish oil that we would take as a supplement.
14 That's what this is.

15 You asked a question earlier about when it was
16 released, and it came on the market in 2006. I think I've
17 talked about how it's coated on both sides.

18 The way the fish oil is attached to the
19 polypropylene is through a curing process, a heat curing
20 process, and I'm not going to go into all the weeds of that
21 but it's a crosslinking process and that is supposed to hold
22 that fish oil onto that polypropylene. So it's heated to go
23 onto the -- and cured to go onto the polypropylene.

24 And the initial product was introduced in 2006, but
25 there have been several added to the product line over the

1 last few years and I'm going to show you some of those in a
2 minute.

3 [REDACTED]
4 [REDACTED]
5 [REDACTED] There
6 are thousands of different kinds of polypropylenes. There's
7 not just one polypropylene.

8 This is what polypropylene looks like before it's
9 melted. So actually what this is is fish oil. This is the
10 only thing that CVS had that looked like this. This is fish
11 oil tablets, but that's exactly what polypropylene looks like
12 except it's clear, and [REDACTED]
13 [REDACTED]. It comes like that. It
14 comes in huge crates or boxes.

15 [REDACTED]
16 [REDACTED]
17 [REDACTED]
18 [REDACTED]
19 [REDACTED]
20 [REDACTED]
21 [REDACTED]
22 [REDACTED]
23 [REDACTED]
24 [REDACTED]
25 [REDACTED]

1 [REDACTED]

2 [REDACTED]

3 [REDACTED]

4 [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 And then that knitted mesh would be sent to Atrium,

11 at this time in Hudson, New Hampshire, and that's when the

12 fish oil was applied. [REDACTED]

13 [REDACTED]

14 [REDACTED] That's what kind they had last night at

15 the drug store.

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1 [REDACTED]
2 [REDACTED]
3 [REDACTED]
4 [REDACTED]
5 [REDACTED]
6 [REDACTED]
7 [REDACTED]
8 [REDACTED]

9 [REDACTED]
10 [REDACTED]

11 [REDACTED] And so just so you
12 know, that's coming up down the road. We don't need to get
13 into it right now. It's just what the plaintiffs are going
14 to look into or allege.

15 JUDGE MCCAFFERTY: Can I stop you for just a
16 moment? It's 10 o'clock, so I'm going to let everybody just
17 take a brief morning break and then we'll come right back.
18 Let's say 10:10, give you ten minutes, be back at 10:10.

19 (RECESS)

20 JUDGE MCCAFFERTY: We'll take one more break so
21 that the defendants can get set up.

22 MS. AYTCH: Thank you, your Honor.

23 JUDGE TEMPLE: Attorney Matthews.

24 MR. JAMES MATTHEWS: [REDACTED]

25 [REDACTED]

1 [REDACTED]
2 [REDACTED]
3 [REDACTED]
4 [REDACTED]
5 [REDACTED]
6 [REDACTED]
7 [REDACTED]
8 [REDACTED]
9 [REDACTED]
10 [REDACTED]
11 [REDACTED]
12 [REDACTED]
13 [REDACTED]
14 [REDACTED]
15 [REDACTED]
16 [REDACTED]
17 [REDACTED]
18 [REDACTED]
19 [REDACTED]

20 I'm being very general here. There are a lot of
21 different -- not a lot, but there are several different
22 iterations and different kinds of C-Qur devices. This is
23 just the modified C-Qur flat mesh device that I'm talking
24 about right now.

25 If you look at it under a microscope, and this is

1 looking at it from the side or what a doctor would call a
2 sagittal view, the bottom part there, let's see if I can do
3 that, yeah, right there, that's the smooth fish oil side that
4 faces the intestines. And the top part, up here, that's the
5 opposite side that faces the abdominal wall. And so you can
6 see that the bottom side is smooth, the idea being that that
7 would prevent these adhesions because it's smooth, and the
8 opposite side is rough, the idea being that tissue could grow
9 into those spaces and hold the mesh into place.

10 Plaintiffs will contend that tissue can't grow into
11 those spaces because there are no holes there. It's just
12 rough and so it will not hold, and that will be one of the
13 fights in this case I suspect.

14 So now I'm going to go through some of the
15 different kinds of meshes that were made. That's just kind
16 of a trademark there.

17 This is a video, and it shows one of the meshes,
18 but it's also a pretty good illustration of what Mr. Orent
19 covered a little earlier of how this stuff goes in, but it
20 will show you how it actually gets in on this particular
21 video.

22 And this is something that we just found on
23 YouTube. So you can see there's the smooth side and the
24 other side was the rough side. There's the smooth side. So
25 now we're going to do a hernia repair close to the umbilicus,

1 or the belly button.

2 Stop.

3 So right before the mesh rolled up there, you saw
4 these white lines? Those were the sutures. And they're in
5 the 12 o'clock, 3 o'clock, 6 o'clock, 9 o'clock position.
6 And Mr. Orent showed you the bottom side of those sutures
7 before. This shows where they actually go, and you will see
8 how they're pulled through -- the surgeon stands outside and
9 pulls the sutures through the body and pulls the mesh up to
10 cover the hole in the muscle tissue. In order to get the
11 mesh through the trocar, through the laparoscope, it has to
12 be rolled up like this.

13 So go ahead.

14 So it's rolled up and then it's stuck into the
15 trocar. And that guy's belly's not usually that big. It's
16 been insufflated with carbon dioxide.

17 So then it's unrolled, and that little hole up
18 there represents the defect in the muscle tissue or the
19 fascia, and you can see the sutures being pulled up right
20 there. That's what I was talking about. And then that's
21 supposed -- and then the tacks are put in.

22 Stop.

23 So these tacks are going to be an issue in this
24 case too. I'll briefly explain why.

25 There are two kinds of tacks. There are permanent

1 tacks and there are -- which are mostly metal, titanium, and
2 there are absorbable tacks. And the absorbable tacks absorb
3 or go away supposedly around 30 days.

4 The C-Qur fish oil coating absorbs or goes away
5 supposedly in 90 to 120 days.

6 If you put a tack in that goes away in 30 days,
7 you're left with a fish oil coating on two sides mesh with
8 nothing holding it on because those sutures have dissolved
9 too. At least that's what the plaintiffs will allege in this
10 case.

11 In some of these -- now, all of the C-Qur devices
12 are not made to go in intraperitoneally, they're not made to
13 go in like this, but most of them are, and we'll show some in
14 a minute that do not go in intraperitoneally. They go in a
15 layer up or another layer up as Mr. Orent showed you earlier.

16 Okay. Is that it? Almost? Okay.

17 So as I said before, the first C-Qur came out in
18 2006 and it was -- the base mesh was ProLite Ultra. Its
19 pores were .75 millimeters, less than one millimeter, [REDACTED]
20 [REDACTED]. It was coated with fish
21 oil, and I think I have -- I think I have one of those. Can
22 I approach?

23 JUDGE TEMPLE: You sure can.

24 MR. JAMES MATTHEWS: So again, it comes in a box
25 like this, and I think this might be a big one. There's

1 instructions for use in here again, there they are, and then
2 this is obviously for a large hernia or hernias. So now
3 you'll be able to see about the coating, you'll be able to
4 feel the coating and you'll be able to feel the rough side.
5 So this would be the smooth side. This would be the rough
6 side there. Polypropylene is in there, too. You can look at
7 it with that if you want to. It might be better than me just
8 showing you or talking about it.

9 So that's pretty much what we're going to be
10 talking about for the next months or however long, years,
11 whatever. That's our product.

12 There's another product called a C-Qur Edge. I
13 have one of them over there, but in the interest of time I'll
14 just show you the picture of this one. It has around the
15 edge -- as you can see on the slide, there's more
16 polypropylene sewn around the edge where the tacks would go.
17 And it's still a ProLite Ultra polypropylene but similar
18 device, and then there is the second iteration.

19 C-Qur came out in 2009. This one had slightly
20 larger pores. It had .8 millimeter pores. This was a
21 heavier weight mesh. And there are going to be expert issues
22 in this case about the density or the weightness of the mesh.
23 85 grams per meter squared is considered high moderate or
24 heavyweight mesh, and there will be allegedly scientific
25 reasons why that's not good. It decreases the ability for

1 tissue ingrowth and can increase adhesions, so say the
2 plaintiffs. This had 53 percent less coating on it than the
3 C-Qur Edge did.

4 This is a different kind of mesh for -- I shouldn't
5 say mesh but a different kind of medical device that includes
6 polypropylene and mesh for smaller hernias, particularly
7 umbilical hernias, but smaller epigastric hernias too.

8 An umbilical hernia occasionally -- or I actually
9 saw somebody like this the other day and pointed it out to my
10 wife, who was not real happy that I did, but if you're at the
11 beach and you might see somebody whose belly button is an
12 outie. That's an umbilical hernia. And you can have small
13 outies or large outies, but this is for a smaller umbilical
14 hernia. And I think we have a video of how to put this in
15 too, because this is a different way to put things in and
16 this is not always or almost never is intraperitoneal.

17 But you have one layer of the C-Qur and then you
18 have a C-Qur light mesh circling this. You have two
19 different types of C-Qur meshes on top of each other here
20 with these positioning straps.

21 This has audio to it. Do we need to do anything to
22 do that? Okay. And it came from this company in the bottom
23 left-hand corner there. So it came actually from a doctor on
24 his hernia mesh website. I'm not going to talk. I'm going
25 to let you listen to the explanation. It will be better than

1 mine.

2 (Video played)

3 Okay. So what will happen -- those long things
4 will be cut off and sutured there and that's what's supposed
5 to hold it in place temporarily until the tissue ingrowth
6 occurs. And I do have one of those, and I have also a
7 TacShield which is another kind of mesh which came around in
8 2010. And it's kind of interesting to see the difference in
9 the TacShield and the previous mesh that I showed you so if
10 it's okay, I'm going to come open one of those too. I don't
11 want to open everything and take a lot of time, but I think
12 it's good to see some of these.

13 This is the V-Patch for the umbilical hernia. You
14 can see it's smaller and it has those pull tabs on it. And
15 it has that extra coating.

16 This is a competitor device. This is actually a
17 Bard hernia mesh that I'm going to show you now so you can
18 see the difference. It's from a different kind of device,
19 but this one is coated on one side. And this is a Bard
20 hernia patch. It's basically the same, but it doesn't have
21 -- it's got a little ring around it too.

22 And then since it's on the screen and so you'll see
23 the extra coating of polypropylene around the edge, this is
24 the TacShield. These are the shield tacks. I suppose that's
25 why it's called TacShield. So it has an extra layer of

1 polypropylene around the edge of it. You can see --

2 JUDGE MCCAFFERTY: Can a surgeon decide to reduce
3 the size of this, or does the surgeon just order a particular
4 size for a particular surgery.

5 MR. JAMES MATTHEWS: Well, the big one that you
6 have in your hand, the surgeon can cut it, yes.

7 JUDGE MCCAFFERTY: Okay.

8 MR. JAMES MATTHEWS: Yes. And it's in the
9 instructions for use that you can trim it to fit what you
10 need.

11 On the TacShield devices, I'm just guessing it
12 would not be advisable to cut it because that extra layer of
13 polypropylene is supposed to be there to help those tacks
14 stay in, I believe, and so I don't think they recommended
15 that that be cut. But the larger flatter versions, yes, you
16 could cut those and trim those for whatever size you needed.

17 There's another version of the C-Qur, it's called a
18 Mosaic. And the Mosaic was not coated through and through,
19 so there were little tiny holes in it in different places. I
20 do have one of those, but you're probably tired of me handing
21 you meshes now so I'll show a picture later on I think --
22 you can't tell from this picture, but you can actually see
23 little tiny holes in places in the Mosaic. So there was less
24 fish oil coating, greatly less fish oil coating on the
25 Mosaic, and you can actually see all of the way through it.

1 There are -- in state court there are no Mosaic
2 lawsuits filed. I don't know how many are filed in the MDL,
3 but the Mosaic was a later iteration of the product.

4 And another later iteration was called C-Qur FX,
5 the FX stood for filament coated, and the reason it's FX is
6 because now you don't have a solid sheet of fish oil on both
7 sides. You only have those filaments coated. So it's only
8 dipped and only the filaments are coated so you now have
9 pores in the C-Qur FX.

10 You also have it in the C-Qur Century FX, which was
11 for inguinal hernia repairs. Inguinal hernia repairs,
12 without getting into it, are a little different. A lot of
13 the inguinal mesh devices are three-dimensional, they're not
14 flat. They're almost like a parachute or even a badminton
15 birdie, some. This one's not, but it has a three-dimensional
16 aspect to it because of the shape of the groin, frankly.

17 So common adverse events associated with hernia
18 mesh repairs are frankly the ones that appear on the
19 instructions for use in these C-Qur products, and the
20 instructions for use and the brochures that go along with
21 these products are going to be key things that we talk about
22 in this case. Whether they're adequate or not is going to be
23 an issue in these cases.

24 But this comes right out of the C-Qur instructions
25 for use, so it tells you that you can have inflammation. You

1 can have infection. We talked about seromas earlier.
2 Seromas are simply pockets of fluid. You can have a hematoma
3 or a blood clot. A fistula is a connection between two
4 things, and in this case it's the connection between -- it
5 usually signifies an infection, and it would be a connection
6 between possibly an infected mesh and an intestine so there's
7 a hole there, or mechanical disruption of the tissue, which
8 you're going to have if you have surgery, or disruption of
9 the mesh material, and then it says possible adhesions if
10 placed in direct contact with the viscera and the organs.

11 So that was C-Qur's warning. These are the adverse
12 events that plaintiffs will claim in these cases, the most
13 important thing being the frequency and severity of these.

14 There is no information in the instructions for use
15 about how frequent or how severe some of these can be, and
16 that will be a bone of contention in these cases.

17 I'm not going to go into details now, but some of
18 the things that I'm going to talk about, briefly I hope, are
19 infections. And I'm not just talking about normal infections
20 that can sometimes occur with surgeries. I'm talking about a
21 greatly increased heightened risk of infections with the fish
22 oil product.

23 There were abscesses which go along with
24 infections. There were actually people who developed skin
25 rashes, not a few either. It was not an infrequent event.

1 Skin rashes on the outside of their body with these devices.

2 We've already talked about dense adhesions. I'll
3 show you one of those in a minute.

4 A meshoma is when the mesh contracts and balls up.
5 It's not attached. It didn't have tissue ingrowth and so the
6 body moves. You have defecation, you jump up and down, you
7 walk, you might pick up things at work, all kinds of things
8 move the abdomen, and the mesh can move like that and ball up
9 and that's called a meshoma. And so we see those.

10 When you have a meshoma or you have migration of
11 the mesh when it doesn't stay fixated, the bowel -- the
12 intestines can be entrapped or pinched off and you can have
13 bowel obstructions. Some of our cases people had to have
14 pieces of bowel removed, and so you would have an anastomosis
15 where you sewed bowel back together again. Then if the mesh
16 moves or migrates, obviously you could have a recurrence
17 because you still have a hole there. And if the mesh
18 uncovers that hole, your intestines can pop right back out.
19 And there's going to be pain associated with almost all of
20 these issues.

21 This particular slide actually doesn't have
22 anything to do with tacks, but you can see it's tacks, and
23 those are permanent tacks that are in this particular mesh.

24 JUDGE MCCAFFERTY: Not quite a scientific question,
25 but just wondering. These warnings come with a product.

1 Obviously the surgeon or the doctor -- the assumption is
2 standard of care would be the doctor would communicate to the
3 patient these are the possible adverse reactions?

4 MR. JAMES MATTHEWS: That would be the hope, yes.
5 I frankly think that there will be cases where the defense
6 alleges that the doctor did not properly communicate those
7 and possibly blames the doctor. Maybe not, but that's what
8 happened in the vaginal mesh cases. So, yes, those are the
9 things that a doctor would want to communicate to a patient.

10 JUDGE MCCAFFERTY: Thank you. You can go ahead.

11 MR. JAMES MATTHEWS: We will allege that there are
12 other things that should have been communicated.

13 JUDGE MCCAFFERTY: And are surgeons -- do they
14 normally have a variety of mesh products or do they tend to
15 go with one?

16 MR. JAMES MATTHEWS: That's the first question I
17 asked whenever I got involved in these cases. This is what
18 typically happens. You have sales reps that call surgeons
19 and call hospitals, and a lot of hospitals have purchase
20 agreements with individual companies. So you may have a
21 doctor who wants to use a C-Qur mesh. He just wants to for
22 whatever reason. But the hospital has a contract with Bard.
23 Bard is the largest mesh manufacturer. They have the biggest
24 market share. And so all they have on their shelf is Bard
25 products, so that surgeon has to use a Bard product.

1 There are instances in cases we have where the
2 surgeons had to use a C-Qur device because they didn't have
3 any other ones there because the chief of surgery said, I
4 want to use those, because sales reps had called on him and
5 convinced him to do that. It goes a little bit deeper than
6 that, and a lot of heads of surgery departments are also
7 consulted through mesh companies and get paid so they make
8 their hospitals use that mesh if they can.

9 So, no, the individual surgeon doesn't always get
10 to choose the mesh. It might be what was available on the
11 shelf that day, but there are cases where individual surgeons
12 do. In community hospitals the surgeons basically control
13 what the hospital buys. In larger hospitals it can be a
14 contract. Does that answer your question?

15 JUDGE MCCAFFERTY: Yes. Thank you.

16 MR. JAMES MATTHEWS: So how do these devices, in
17 particular the C-Qur devices, cause injury. And what we're
18 going to contend in this case is that the volume of fish oil,
19 the density of the coating and what it becomes after it is
20 cured and what it becomes after it's in the body is a
21 mechanism of injury. We're going to say that because it is
22 coated on both sides and has no pores until later iterations,
23 that that's the mechanism of injury because it doesn't allow
24 adequate tissue ingrowth.

25 Polypropylene is left. I mean, eventually this

1 fish oil is supposed to go away. I will say that there are
2 cases where over a year later the fish oil hasn't gone away.
3 It's been found floating in a seroma, in a pocket of fluid,
4 and the fish oil is still there. But it's supposed to go
5 away, and if it does go away in 90 to 120 days, what's left?
6 Pure polypropylene right there next to the intestines.

7 So you have the same problems that you would have
8 if you put pure polypropylene there to begin with, we will
9 say. And the problem with some polypropylenes, not all but
10 some, are that they can deteriorate and degrade, and I'll
11 show you some slides of that in a few minutes -- in less than
12 a few minutes.

13 Another problem is -- this is intraperitoneal, so
14 it's next to the intestines, and they're made to go like that
15 and that's okay, you can put them in like that, but you'd
16 better have a really good product that doesn't deteriorate
17 and allows active tissue ingrowth if you're going to place it
18 next to the intestines because there are other areas, as
19 you've seen, to place these meshes.

20 And there was at least initially, and maybe even
21 after that, a lack of uniformity with the coating of these
22 C-Qur meshes. There was no uniform protocol or standard of
23 how thick this coating would be at least initially, at least
24 that's what we believe at this point. Depositions may show
25 otherwise, but that's what we think so far.

1 And there was a problem with some impurities or
2 contamination, and I'll show you a little bit about that in a
3 second.

4 So I talked about infections, and we're going to
5 blame most of the infections on the fish oil. One of the
6 things -- one of the reasons that we believe you have
7 infections is that the fluid -- there's always fluid after
8 surgery. Fluid cannot go through the implant because it's
9 coated on both sides. So it's trapped. And a seroma will
10 form. And, yeah, seromas occur in surgeries, but they don't
11 form like these and they go away. Some of these stay there
12 and you have these big pockets of fluid that act basically as
13 a petri dish for bacteria and so you have infections.

14 And this particular product reduces the pH, the
15 potential of hydrogen, in the tissue where it touches, which
16 means that it increases the acidity in that area, and
17 increased acid is another perpetrator of infections.

18 In fact, the pH here can be over 30,000 times lower
19 than normal tissue pH, and all of that we will say causes
20 cytotoxicity, or destruction of cells. Cell death is what
21 cytotoxicity means, and it is particularly cytotoxic to
22 mesothelial cells. Mesothelial cells are cells that line the
23 inside of the peritoneum. They're smooth cells. They're
24 there to prevent adhesions. And we think that we will have
25 expert testimony that the fish oil is cytotoxic to those

1 cells.

2 So all those things lead up to infection, and I've
3 talked about the density of the coating. One of the problems
4 with infection is what's called dehiscence, and that's when a
5 wound doesn't close. So an incision simply won't close and
6 it will just stay open and infected for weeks or months, and
7 the same processes would occur there.

8 I mentioned failed incorporation and adhesions.
9 I've talked about meshomas. I talked about dense adhesions,
10 and there's an adhesion.

11 So on the left is a C-Qur mesh at 7 days, and you
12 can see the suture in the bottom right there. And this is
13 not in a human. I think it's a rabbit or a rat. And there's
14 a slight adhesion formed there after 7 days, but after 30
15 days you can see that the adhesions have increased on that
16 particular slide and the mesh has contracted on that
17 particular slide. So that's what we're talking about, the
18 contraction.

19 Now, this is polypropylene. This is a slide of a
20 pure polypropylene right off the spool after it's been knit.
21 So what you're seeing there is where one of the knots is in
22 the polypropylene mesh. This is with a scanning electron
23 microscope. It's very smooth on the outside. And this is
24 what happens when it deteriorates. The outside starts
25 cracking and peeling and then it gets worse like that, and

1 then it gets really bad like that, and the outside part can
2 actually slough off. There are some more pictures of it
3 there. And that's what it looks like after it's sloughed off
4 on the outside.

5 So the problem with polypropylene degrading like
6 that is that it increases the surface area of the implant.
7 When there's any kind of implant or foreign body in the body,
8 the body attacks it because it's foreign, and so these cells
9 called macro fascias attack the implant and they're eaters.
10 They are supposed to eat away the foreign body. Well, they
11 can't eat away this. But as Mr. Orent said earlier, they're
12 going there the same time these bacteria are going there. So
13 everybody is racing to get on this implant. And when the
14 implant deteriorates, it increases the surface area of the
15 implant.

16 It's like the coast of Maine. If it was a smooth
17 coast all the way down, it might be 4 or 500 miles, but I
18 think it's 10,000 miles because of all the fissures in it and
19 the cracks and the inlets and things like that, and the same
20 way here. It has a larger surface area, and every time a
21 piece of polypropylene degrades, it's attacked again. And so
22 once it's attacked again, it degrades again, and then it's
23 attacked again, and this just keeps going. And so
24 polypropylene can actually deteriorate or degrade.

25 There are different kinds of polypropylene, as I

1 said earlier, and there are general -- there's general
2 purpose polypropylene. There's industrial polypropylene.
3 There's medical grade polypropylene. There's inflammable
4 grade polypropylene.

5 Pro-fax 6523 was the general purpose polypropylene.
6 So we're going to have an issue in this case about whether
7 this polypropylene degrades and whether that causes an issue.

8 And the final issue is impurities in some of the
9 C-Qur products. This is actually one of the meshes that I
10 opened within the last week, and what's circled there is hair
11 that's embedded in the fish oil, and there's several, and I
12 promise we didn't put them there. That was the way it was
13 when it was opened. And I think there are going to be issues
14 in this case about that.

15 I think that's it. I'm sorry I took so long. But
16 to sum up, the main issues are going to be this two-sided
17 coating, the fish oil itself, and the basic polypropylene
18 that we went through.

19 JUDGE MCCAFFERTY: Thank you.

20 JUDGE TEMPLE: Thank you very much.

21 MR. JAMES MATTHEWS: Thank you.

22 JUDGE MCCAFFERTY: All right. Attorney Aytch, you
23 would like to have ten minutes to set up?

24 MS. AYTCH: If you wouldn't mind, your Honor.

25 JUDGE MCCAFFERTY: That would be great. It will be

1 11 o'clock, and you're going to be an hour and a half, so we
2 could envision -- it might be more than an hour and a half?

3 MS. AYTCH: No, we can -- a lot of stuff was
4 covered. As much as we can move forward, we will.

5 JUDGE MCCAFFERTY: Okay. So it could be less than
6 an hour?

7 MS. AYTCH: It could be. I don't want to make any
8 promises to the Court.

9 JUDGE MCCAFFERTY: That's all right.

10 MS. AYTCH: It could be.

11 JUDGE MCCAFFERTY: All right. So then we'll just
12 be able to do our lunch break whenever you're finished.

13 MS. AYTCH: Correct. I don't believe that it's
14 going to interfere too much with the normal lunch schedule.

15 JUDGE MCCAFFERTY: All right. Well, then let's
16 come back here at 11 o'clock and you can begin your
17 presentation.

18 MS. AYTCH: Thank you, your Honor.

19 (RECESS)

20 JUDGE MCCAFFERTY: Attorney Turner.

21 MR. TURNER: Good morning.

22 JUDGE MCCAFFERTY: Good morning.

23 JUDGE TEMPLE: Good morning.

24 MR. TURNER: I'm here today with some of my
25 colleagues I want to introduce.

1 My name is Hugh Turner. Enjolique Aytch is with
2 me, one of my partners. And Rebecca Ocariz is with me, also
3 one of my partners. And in the back is John Friberg, who is
4 from New Hampshire, and Pierre Chabot, who's also with the
5 Wadleigh Starr firm.

6 We're here and have the privilege, your Honors, of
7 representing Atrium Medical Corporation. And Atrium Medical
8 is the company that manufactured this product, still
9 manufacturers the product, and does so here in New Hampshire,
10 in Merrimack, where they moved over the last couple of years.
11 Originally the company was founded in 1981 by several
12 individuals and the company grew, then finally after 30 years
13 in 2011 it was acquired by a large international life science
14 company. Now it has 500 employees and is in Merrimack where
15 their headquarters are. So they're still a New Hampshire
16 company.

17 I'm going to split up -- or have asked Enjolique
18 and Rebecca to split up the six topics with Enjolique
19 handling the first three, and Rebecca will handle the second
20 three of those topics.

21 MS. AYTCH: Good morning, your Honors. May it
22 please the Court, counsel and all attendees.

23 As Mr. Turner mentioned, my name is Enjolique Aytch
24 and I will present on the topics of what is a hernia, the
25 types of common hernias by location, by severity and how

1 they're formed, as well as the various treatment modalities.
2 As you know, plaintiffs have reviewed a number of items
3 within these topics already. Therefore, where my
4 presentation may be duplicative or repetitive, I'll try to
5 move through the material fairly quickly so as to not belabor
6 any points.

7 When my presentation goes into further additional
8 details, however, I will spend my time on those matters. As
9 my partner Rebecca Ocariz states, however, repetition is the
10 key to learning.

11 Also the presentation style is different views of
12 some of the hernias, so hopefully your Honors will still find
13 utility even where I may be duplicative.

14 What is a hernia? As previously explained, a
15 hernia occurs when an organ, intestine, or tissue squeezes
16 through a hole or weakness in the abdominal wall, and this
17 weak spot is referred to as a defect.

18 A hernia consists of three parts, the sac -- let's
19 see if I can do this here, yes, Technology is great -- the
20 sac, which is the pouch, the peritoneum, the covering of the
21 sac, and as well as the contents, which includes the organs,
22 the tissue, generally the intestines or the omentum, and the
23 omentum is a fold of the peritoneum that surrounds the organs
24 and the abdominal wall.

25 So let's talk about the abdominal cavity. It's

1 important to understand the composition of it. So even
2 though plaintiffs reviewed this, I will cover it again very
3 briefly.

4 So the abdominal cavity is the space in the abdomen
5 that holds the abdominal organs. As displayed, this includes
6 the liver, spleen, gallbladder, stomach, the colon or large
7 intestine, the small intestine, the appendix and the bladder.
8 These organs are held together by connective tissue that
9 allows the organs to expand and glide against each other.
10 The peritoneum lines the abdominal cavity and holds the
11 organs in place.

12 So this 30-second video will demonstrate the
13 contents of the abdominal cavity and how they are not static
14 but are in frequent motion from the activity of the internal
15 body and other activities such as eating, as we see soon that
16 the bolus will pass through the colon here.

17 So as Attorney Orent mentioned, this is the great
18 amount of pressure that is exerted and that is going on in
19 the abdominal cavity.

20 The abdominal wall, which is comprised of the skin,
21 a layer of fat, the fascia muscles and here the peritoneum,
22 which is the membrane that lines the abdomen and covers most
23 of the abdominal organs. And this abdominal wall provides
24 containment and support to the internal organs within the
25 abdominal cavity, which is shown here as it is constantly

1 moving and exerting outward pressure.

2 The abdominal wall counters this pressure that
3 results in continuous strain on the tissues in the abdominal
4 wall. Certain actions such as coughing, vomiting, straining,
5 and physical exertion can increase the abdominal pressure on
6 the abdominal wall. When this occurs and the abdominal wall
7 can no longer contain the outward pressure, the tissues and
8 the organs can push through the defect or weakness in the
9 wall and this is what creates or enlarges a hernia.

10 So let's -- let's clear this.

11 So let's talk about the common types of hernia.
12 There are two common types of hernia by location, as Attorney
13 Orent mentioned, so I will try to move through this quickly.

14 You have the ventral hernias which are generally at
15 the upper abdominal above the umbilicus, and then you have a
16 groin hernia which are below. The ventral hernias can
17 include an incisional hernia, the umbilical hernia, and the
18 epigastric hernia. And the groin hernias can include the
19 indirect inguinal hernia, the direct inguinal hernia, and the
20 femoral hernia. Any of these hernias can sometimes be
21 visible as an external bulge, particularly when straining or
22 bearing down.

23 So beginning quickly with the incisional hernia.
24 The incisional hernia occurs at the site of a previous
25 surgical incision and occurs in approximately ten percent of

1 all surgeries. Certain prior surgeries can include but are
2 not limited to an appendectomy, a gallbladder removal,
3 Caesarean section, et cetera. So these hernias can develop
4 months or even years following a prior surgery. And like all
5 hernias, if it is not repaired early, it can develop into a
6 large complicated defect.

7 Next we have the umbilical hernia. It and the
8 incisional hernia are the most common ventral hernias. An
9 umbilical hernia occurs in the umbilical area, which is the
10 belly button, where the umbilical cord once passed through
11 the abdominal wall. Women who have had multiple pregnancies
12 have a higher risk of developing an umbilical hernia.
13 Therefore, umbilical hernias in adults are more common in
14 women than they are in men, but obesity is also a risk factor
15 for umbilical hernias so they are not limited to women.

16 Lastly is the epigastric hernia. Epigastric
17 hernias occur in the upper abdomen at the midline, here you
18 go, and pushes through the abdominal wall between the
19 breastbone and the navel. It is usually a result of a
20 weakness present at birth combined with intra-abdominal
21 pressure along the midline.

22 It is important to note that hernias do not resolve
23 themselves, except some small umbilical hernias in infants,
24 like what I had said, and as what Attorney Matthews said, we
25 can refer to as an outie anytime that that hernia pokes out.

1 But as you will see in most young children and infants, it
2 will repair itself.

3 Other than that, hernias don't generally repair
4 themselves. At best, they can remain the same, but more
5 likely over time they progress and become quite large if
6 untreated. Therefore, hernias can present in various shapes
7 and sizes as depicted in the next slide.

8 As shown here, in this C-Qur MDL and in the state
9 court litigation, 56 percent of the cases that we have
10 involve ventral hernias as of this week. 42 percent is
11 unknown, which represents the amount of cases that have been
12 recently filed and on the complaint but doesn't disclose what
13 type of hernia it is. However, given the trend, we have
14 reason to believe that the majority of these cases will be
15 ventral hernia cases.

16 The other common hernia by location is the groin
17 hernia of which there are three common types: the indirect
18 inguinal hernia, the direct inguinal hernia, and femoral
19 hernias.

20 Inguinal hernias occur at or near the inguinal
21 canal, which is where the nerves and the vessels pass between
22 the groin and the abdomen. They are more common in men than
23 in women because the testicles descend through the inguinal
24 canal after birth and sometimes the canal does not close
25 properly.

1 The indirect inguinal hernia, which is the most
2 common type of hernia in men, occurs when a loop of the
3 intestine and/or fat presses into or actually through the
4 inguinal canal. This area may be weak at birth allowing
5 hernias to form later in life.

6 The direct inguinal hernia occurs near the inguinal
7 canal when a loop of the intestine and/or fat presses into a
8 dual weakness in the abdominal wall next to the inguinal
9 canal, and these typically occur in men over the age of 40
10 and may result from aging or injury.

11 Lastly, the -- I'm sorry?

12 JUDGE MCCAFFERTY: I think of hernias as more
13 common in men in general. Is that accurate?

14 MS. AYTCH: Yes. That is correct.

15 JUDGE MCCAFFERTY: And why is that?

16 MS. AYTCH: So the most common type is the inguinal
17 hernia. And so because of the position lowering where
18 there's the weakness from the testicles, that's generally
19 where they occur. However, those are not the most frequent
20 in this litigation.

21 JUDGE MCCAFFERTY: Okay. So there could be an
22 equal number of male and female plaintiffs?

23 MS. AYTCH: In this --

24 JUDGE MCCAFFERTY: Yes, in our --

25 MS. AYTCH: Can there be or -- I'm sorry. I didn't

1 hear your question.

2 JUDGE MCCAFFERTY: Are there more likely to be
3 perhaps an equal number of female and male plaintiffs?

4 MS. AYTCH: Correct, your Honor, because by far the
5 majority of the cases that we have in both of your Honors'
6 cases are ventral hernias, so occurring upper abdomen.

7 So the femoral hernia occurs high in the thigh and
8 is due to a weakness in the femoral canal area of the groin.
9 And like ventral hernias, groin hernias do not resolve
10 themselves and can become relatively large if untreated as
11 depicted in the next slide.

12 And so as we were just discussing, as shown here in
13 the C-Qur MDL and the state court litigation, only 2 percent
14 as of this week represent groin hernias. And again, although
15 we have 42 percent that is unknown, based on the trend it is
16 more likely that those will be ventral as opposed to groin
17 hernias.

18 Now we're going to discuss hernias by severity.
19 There was mention of this during the plaintiffs'
20 presentation, so I may go through this just a little bit
21 slower just to point them out in this particular order.

22 So there are the reducible hernias, the
23 nonreducible or incarcerated hernia, and the strangulated
24 hernia.

25 So the reducible hernia forms a bulge as the

1 intestine pushes through the hernia sac, but its main
2 characterization is that it can be flattened out upon either
3 lying down or pushing against it. Although there is no
4 immediate danger with the reducible hernia, surgery is still
5 necessary in order to resolve the defect because it's not
6 going to go away or get better on its own.

7 A nonreducible or incarcerated hernia is where the
8 intestine becomes trapped and the bulge cannot become
9 flattened. Incarcerated hernias can disrupt digestion and
10 require prompt surgery.

11 And the most serious of the three is the
12 strangulated hernia, which occurs where the intestine is
13 trapped tightly and can result in a loss of blood supply and
14 oxygen and therefore die. These hernias can greatly obstruct
15 digestion and result in significant pain. A strangulated
16 hernia can also be life-threatening and emergency surgery is
17 usually required to quickly repair the defect.

18 Hernias can also be categorized as congenital or
19 acquired. As the name suggests, congenital hernias form at a
20 point of natural weakness that was present at birth such as
21 the inguinal canal in the umbilicus, where acquired hernias
22 form over time due to forces overstretching the tissue.
23 Areas of acquired weakness can include previous surgical
24 sites, which of course is the incisional hernia.

25 So hernias have numerous causes but are always

1 caused by a combination of pressure and an opening or
2 weakness in the muscle or connective tissue, and it occurs
3 where the pressure pushes through an organ or tissue through
4 the opening. These areas can be weak from birth, from prior
5 surgery, or from injury and aging. And anything that
6 increases the pressure on this weakness, be it obesity,
7 exertion, coughing and vomiting, can cause a hernia. Also
8 lifestyle choices such as poor nutrition, tobacco use and
9 overexertion can increase the likelihood of a hernia.

10 The common symptoms that bring people into the
11 hospital for a hernia is generally the characteristic bulge
12 that may or may not flatten or be reducible, pain that can
13 range from intermittent discomfort all the way to extreme and
14 persistent, a feeling of pressure in the abdomen, or
15 constipation, blood in the stool or vomiting.

16 So next we will discuss the various treatment
17 modalities. Because hernias are so prevalent, so are hernia
18 repairs. It is reported that more than 1 million hernia
19 repairs are performed in the United States each year, making
20 it the second most often performed hernial procedure. And
21 approximately 800,000 of the repairs are to inguinal hernias
22 and the rest are to other kinds of hernias, to answer your
23 Honor's question.

24 For some hernias nonsurgical approaches are an
25 option. One nonsurgical approach is what they call watchful

1 waiting, where the physician will simply monitor the defect
2 to ensure that it isn't causing any problems. And a second
3 nonsurgical approach is a girdle, which as you can see can be
4 used for either a ventral hernia or a groin hernia. As
5 evidenced by the surgical intervention, these approaches were
6 not appropriate for the plaintiffs here.

7 A traditional surgical approach is the non-mesh
8 tension repair, which I believe Attorney Orent also refers to
9 as the primary repair. This technique utilizes the patient's
10 tissue to close the defect by suturing the tissue and muscles
11 together to repair the hernia. The surgeon makes an incision
12 over the hernia site, here, directly over the hernia site,
13 and pushes the contents of the defect back into its correct
14 anatomical position and then stitches the incision closed
15 with the layers of muscle and tissue.

16 Because you need good tissue for this repair which
17 has to necessarily be wider than the defect, this technique
18 can cause a lot of tension leading to pain and also restrict
19 movement. Therefore, this technique has a higher recurrence
20 rate. And studies today still note the higher recurrence
21 rate of this tension repair and hence the prevalence of the
22 mesh repair, which is next.

23 The polypropylene mesh was introduced in the late
24 1950s for hernia repair and since the 1980s there has been an
25 increase in mesh-based repairs. By 2000 non-mesh repairs

1 represented less than 10 percent of groin hernia repairs.

2 The use of surgical mesh has become popular because
3 it provides a tension-free repair where the mesh, as opposed
4 to the patient's tissue and muscle, acts as a scaffolding to
5 reinforce the defect with the formation of scar tissue and is
6 therefore associated with a decreased recurrence rate.

7 So as discussed a bit previously, mesh can be
8 distinguished by the type of base material. There's
9 polypropylene, polyester, and ePTFE or Teflon, and there's
10 also biological or animal tissue.

11 The biological mesh is often used when synthetic
12 mesh is not advisable where there is or has been a previous
13 infection. Biological mesh is absorbable and not as strong
14 as synthetic mesh, and therefore can be also associated with
15 a higher occurrence rate.

16 JUDGE TEMPLE: Is there any breakdown in terms of
17 the percentages that are used, whether it be -- well, let's
18 just talk about the synthetic. In terms of those three
19 products, is there a percentage for all surgeries performed
20 in terms of what product is used?

21 MS. AYTCH: I'm not aware of the exact percentages.
22 As Attorney Matthews mentioned, the polypropylene is a very
23 prevalent one, but there are also the combination meshes that
24 will use different kind of bases in order to achieve what
25 they need to achieve depending on the defect, where it is,

1 and how it will be placed.

2 JUDGE TEMPLE: How often are the biological or
3 animal tissue used?

4 MS. AYTCH: Those are not used as often. First,
5 they are extremely expensive, and so they're not usually a
6 hospital or a doctor's go-to. Second, it's absorbable, so
7 it's not a permanent repair. Over time that will absorb, and
8 so there is a recurrence rate. And thirdly, it's not as
9 strong. So although I can't give you the exact percentages,
10 your Honor, it is not as prevalent as the synthetic mesh.

11 JUDGE TEMPLE: Thank you.

12 MS. AYTCH: So there are two primary categories of
13 surgical repair, which are open repair and laparoscopic
14 repair. With an open repair the surgeon makes an incision
15 near the hernia, and with the laparoscopic repair the surgeon
16 makes several small incisions that allow for the surgical
17 tools in the opening to repair the hernia. And I believe
18 we've seen a number of videos showing the laparoscopic repair
19 so we'll move beyond that.

20 In either an open or a laparoscopic repair the mesh
21 must be secured by some fixation device, either sutures,
22 staples, clips and/or tacks, and these devices can be
23 permanent or absorbable.

24 Obtaining an adequate fixation is critical to a
25 successful repair because the mesh must stay in place in

1 order to incorporate and provide the necessary scaffolding.
2 And for this reason it is the defendant's position that a key
3 indication of an inadequate fixation technique is the mesh
4 migration or the balling up of the mesh. If it's not
5 properly affixed all around it can come up, ball up and/or
6 migrate.

7 Also critical to successful repairs is the
8 physician's mesh selection, which requires consideration of
9 the location and size of the hernia. As you saw, there are
10 some meshes that are quite large. Also the surgical
11 technique, patient specific considerations, such as whether
12 or not there's an active infection -- to your question, Judge
13 Temple, that is when a biological mesh would be used -- and
14 the placement of the mesh.

15 And so the mesh placements were gone through with a
16 bit of detail by Attorney Orent, so I'm just going to move
17 through them fairly quickly.

18 So the four particular placements for the mesh are
19 the onlay, the inlay, the sublay, or the underlay or the
20 IPOM. As noted, the onlay places the mesh on top of the
21 defect and muscle and close to the skin. So if we recall the
22 abdominal wall, from an onlay when you're going -- making an
23 incision and cutting down, you have to cut through the skin,
24 the fat, the peritoneum -- I'm sorry, not the peritoneum --
25 the skin, the fat, and this layer of muscle in order to get

1 to the defect. On a larger person that can be a significant
2 incision.

3 Some of the advantages of an onlay is that it
4 avoids contact with the bowel and imparts less tension than
5 in a non-mesh repair. But as discussed, some of the
6 disadvantages are the high recurrence rate, and there is
7 still some tension repair aspect and it requires wide tissue
8 undermining.

9 The inlay places the mesh inside of the hernia
10 defect and secures the mesh circumferally to the edges of the
11 fascia. So in this representation which we've seen before,
12 the red here is the muscle, the green is the mesh, the black
13 are the little sutures, and here is the defect where it's
14 being repaired.

15 So the advantages to this repair is that there is
16 less chance of erosion and then it's technically an easier
17 repair, but the disadvantage is that you're suturing to the
18 edge of the defect here. And so again, you have to go to get
19 good and healthy tissue, and that can involve suturing in
20 attenuated and abnormal tissue which isn't the best place.
21 Because of that, you also get a higher recurrence rate with
22 this procedure.

23 Then we have the pre-peritoneal underlay, or
24 otherwise known as the sublay method, which places the mesh
25 under the defect and under the muscle but above the

1 peritoneum. So we're muscle, we're under it, but we're still
2 above the peritoneum, which is down here. This placement
3 allows for ingrowth from two different directions and has a
4 lower recurrence rate because the intra-abdominal pressures
5 from the muscle and the fat and everything are not pushing up
6 on the repair because the repair is down here. However, this
7 is often considered a more difficult procedure with greater
8 risk of damage to the muscles and nerves because of where
9 you're cutting.

10 And then lastly is the intraperitoneal onlay mesh,
11 or the IPOM, which places the mesh in the intraperitoneal
12 space on the peritoneum under the defect.

13 Intraperitoneal space, here we have the peritoneum,
14 and we have the green mesh that is placed under it.

15 This repair, as was noted by the plaintiffs, was
16 popularized by the laparoscopic technique. And because the
17 mesh would be coming into direct contact with the peritoneum,
18 a coated mesh is required. And the advantages and
19 disadvantages of this procedure are the same as those in a
20 laparoscopic repair because, as noted previously, this repair
21 is done laparoscopically.

22 So there are many different ways to address hernia
23 repairs. There is no magic method, as has been seen. As
24 technology progresses and innovation furthers, the products
25 on the market also have to evolve with the different

1 techniques.

2 This concludes my portion, and I will now turn over
3 the presentation to my partner, Rebecca Ocariz, who will
4 discuss the remaining topics beginning with the history of
5 C-Qur mesh.

6 JUDGE TEMPLE: Can I ask you a question?

7 MS. AYTCH: Of course.

8 JUDGE TEMPLE: The coat you just talked about for
9 the intra-peri -- however you say the word.

10 MS. AYTCH: Intraperitoneal?

11 JUDGE TEMPLE: Intraperitoneal. Thank you. I
12 would butcher it in my New Hampshire accent so no one would
13 know what I was talking about.

14 How many different coats are there?

15 MS. AYTCH: How many different --

16 JUDGE TEMPLE: Coats.

17 MS. AYTCH: Like the different types of products on
18 the market?

19 JUDGE TEMPLE: In terms of -- we've heard about
20 fish oil.

21 MS. AYTCH: Correct.

22 JUDGE TEMPLE: Am I understanding this right, that
23 there is a coat that's placed on the mesh?

24 MS. AYTCH: Correct.

25 JUDGE TEMPLE: Is that the only product or are

1 there multiple types of coats?

2 MS. AYTCH: So there are multiple types of coats.
3 The only coating that is at issue in these groups of cases,
4 C-Qur mesh, is the Omega 3 fatty acids, but there are
5 different types of coating with a number of different
6 products. I believe the plaintiffs mention that Atrium,
7 though, is the only one that has a product out on the market
8 with the Omega 3 fatty acid coating.

9 JUDGE TEMPLE: Thank you.

10 MS. AYTCH: Thank you.

11 MS. OCARIZ: Good morning.

12 JUDGE MCCAFFERTY: Good morning.

13 JUDGE TEMPLE: Good morning.

14 MS. OCARIZ: A discussion of the history of C-Qur
15 mesh needs to start with talking about the mesh design
16 dilemma.

17 The mesh design dilemma is trying to balance a
18 strong repair as against biocompatibility. Biocompatibility
19 is the ability of a medical device to co-exist with living
20 tissue or organisms without causing harm.

21 Every medical device implanted in the body will
22 elicit a foreign body reaction. When hernia mesh was first
23 introduced, initially the thought was that the mesh should be
24 very strong and induce the greatest formation of scar tissue
25 which would result in the strongest repair. What was

1 discovered, though, is unfortunately this led to pain and
2 movement restriction in the patients who had this type of
3 very strong mesh.

4 As hernia mesh devices have evolved, the focus has
5 been on trying to find the ultimate balance between
6 maintaining the strength of the repair and ensuring the
7 biocompatibility of the device. C-Qur mesh was developed to
8 provide a more natural healing experience with less tissue
9 attachment and to reduce the inflammatory period of wound
10 healing process to promote more rapid healing.

11 So what is C-Qur mesh? And you've heard a little
12 bit about that today in that what C-Qur mesh is, is it
13 combines Atrium's ProLite polypropylene mesh with an
14 all-natural Omega 3 gel coating, which we've heard referred
15 to as the 03FA. In certain placements the absorbable coating
16 serves as a barrier between the polypropylene mesh and the
17 internal organs.

18 Polypropylene mesh has been safely and effectively
19 used for hernia repair since the 1960s. The base mesh in
20 C-Qur is Atrium ProLite, which is a monofilament
21 polypropylene mesh. ProLite is designed to facilitate faster
22 tissue integration and a more normal healing response.
23 ProLite has been on the market for 20 years -- for over 20
24 years, since 1994.

25 Importantly, ProLite has not been the subject of

1 any type of mass tort litigation, and I don't believe that
2 any of the plaintiffs' firms that have filed C-Qur litigation
3 lawsuits have filed any suits alleging that there's a product
4 liability issue with ProLite.

5 So defendant's position is that there really
6 doesn't seem to be any real issue with the ProLite mesh,
7 which is the base mesh in the C-Qur.

8 The Omega 3 gel coating in C-Qur is derived from a
9 highly purified pharmaceutical grade fish oil consisting of a
10 unique blend of triglycerides and Omega 3 fatty acids. The
11 fatty acids are familiar, naturally-occurring biological
12 components that are commonly found in the human body. The
13 liquid fish oil is transformed into a stable, absorbable gel
14 coating through a thermal crosslinking process that you heard
15 a little bit about today.

16 It was necessary for Atrium to transform the liquid
17 fish oil into a semisolid gel because if the oil was left in
18 its liquid form it would be absorbed by the body too quickly.
19 Additionally, as a practical matter, it simply wouldn't work
20 if the oil was coated in a liquid form on top of the mesh
21 because the liquid would not adequately adhere to the mesh.
22 So there needed to be some process implemented to convert the
23 fish oil to the semisolid gel.

24 In this conversion process there are no chemical
25 agents used to convert the oil into the gel, and this is an

1 important point because chemical crosslinking agents can
2 cause hypersensitivity or a potential allergic response in
3 select patients.

4 Additionally, the 03FA coating is nonallergenic and
5 contains no heavy metals or proteins.

6 The Omega 3 fatty acid fish oil as a viscous liquid
7 coating was first used on coronary stents in around
8 2001-2002, and the coating technology showed promise for
9 other applications. So in 2003 Atrium initiated a research
10 and development effort to develop the Omega 3 technology for
11 use on a broad range of medical devices.

12 The Omega 3 fatty acid coating reduces the
13 inflammatory response that occurs during the wound healing
14 process allowing the lightweight mesh construction to heal
15 rapidly with the new tissue. So again, you're seeing the
16 attempt to balance the tension between ensuring a strong
17 repair for the hernia so that you don't have a recurrence of
18 the hernia and that the organs which were outside the body
19 are returned to their proper anatomical place, and balancing
20 that with a device that is as biocompatible as possible in
21 order to attempt to minimize some of the adverse reactions
22 which may occur which you have heard a little bit about
23 today.

24 When a medical device is implanted in a body, any
25 medical device, a knee, a hip, or mesh, the body elicits a

1 cascade of responses. In hernia repair the mesh device is
2 used to replace the function of natural tissue that was lost
3 due to the hernial defect. The tissue surrounding the
4 implanted mesh goes through a process called wound healing.
5 There are several different stages of the wound healing
6 process that are automatically triggered by the tissue injury
7 caused by the implantation.

8 The first phase is inflammation. This phase begins
9 at the time of the implant procedure and lasts for a few
10 days. Within hours of the surgical procedure the wound and
11 the device are heavily populated with inflammatory cells, and
12 during this phase of healing the wound strength is
13 negligible.

14 The next stage of wound healing is proliferation,
15 and here's where the body tries to close the wound area and
16 create a new framework for blood vessel growth, and I believe
17 Mr. Orent spoke about that process in some detail. This
18 phase occurs from a few days to a few weeks post-procedure.

19 The last phase is the remodeling phase, and that
20 occurs weeks after the initial implant procedure and lasts
21 for months. During this phase collagen is deposited in and
22 around the mesh material and it helps to strengthen the
23 repair and helps to develop tissue that helps to serve the
24 same purpose as the original tissue.

25 If the stages of wound healing are prolonged, the

1 patient is more susceptible to complication. So it's
2 important to go from one stage to another as efficiently as
3 possible.

4 And again, the 03FA coating reduces the
5 inflammatory response that occurs during the wound healing
6 process, and this is beneficial because the final stage and
7 the smooth muscle cell healing and replication can't occur
8 until the inflammatory phase is completed.

9 So you heard a little bit about C-Qur and you got
10 the opportunity to look and touch some of the samples. So in
11 the C-Qur device the entire ProLite base mesh is coated with
12 the 03FA coating, but each side receives a different
13 concentration of the coating. And so there are two sides to
14 the mesh, and I think that you saw that, the smooth side and
15 the rough side.

16 So the rough side has a thin uniform coating with
17 an easily distinguishable textured finish, and this side is
18 the side that is designed for the tissue incorporation.

19 The smooth side of the mesh, which has more coating
20 on it, is suitable for placement along the organs. And
21 again, the point of the barrier mesh and these types of
22 coatings are to protect the organs from direct contact with
23 the polypropylene.

24 And then this 03FA barrier stays in place while a
25 new peritoneum is formed over the mesh. The 03FA coating

1 minimizes the formation of adhesions, and adhesions are -- as
2 you've heard, they're scar-like tissue and they cause tissues
3 and organs to stick together where they naturally wouldn't.

4 Ms. Aytch showed the short video about how much
5 movement is going on in your abdominal cavity. And so
6 imagine where those organs which are typically covered with a
7 slippery substance so that they can expand and contract with
8 pressure and with digestion, if adhesions are formed they
9 tend to -- well, they bind the tissue and the organs
10 together, which obviously interferes with the natural process
11 of digestion and other types of movements.

12 So we also brought a few samples of the C-Qur mesh,
13 but I think that you've had plenty of opportunity to see for
14 yourself the two different sides of the mesh and how it
15 works.

16 But what I do want to point out to you is that the
17 mesh is delivered in this cardboard pouch, and then in the
18 surgical procedure what happens is the circulating nurse who
19 is outside of the sterile surgical field would open the
20 cardboard container and would put the mesh -- would place the
21 sterile pouch inside the sterile field. And then when the
22 surgeon was ready to implant the mesh, then a scrub tech or a
23 scrub nurse would then open this sterile pouch and remove the
24 mesh.

25 So returning to how C-Qur works. The coating

1 contains familiar and naturally-occurring lipid components,
2 as I mentioned before, that are commonly found in the human
3 body and they are broken down naturally by the body.
4 Typically the coating is absorbed within 90 to 120 days for
5 the majority of the C-Qur meshes.

6 Preclinical studies demonstrated that the low
7 temperature heat cured coating is completely absorbed by
8 local tissue over time leaving the polypropylene mesh
9 incorporated with fully remodeled, well-healed tissue. While
10 the typical absorption rate is 90 to 120 days, the actual
11 absorption rate will vary depending on the individual patient
12 and their metabolism, but the preclinical studies
13 demonstrated complete absorption within 120 days.

14 So C-Qur mesh was cleared to market by the FDA
15 through the 510(k) process, and you've heard a little bit
16 about that.

17 The mesh was made available to surgeons as a
18 medical device through this process. And this is a premarket
19 submission that's made to the FDA to demonstrate that the
20 device to be marketed is at least as safe and effective, or
21 also known as substantially equivalent, to a legally marketed
22 device. That statement that appears on the slide comes
23 directly from the FDA website.

24 Moving on to the types of C-Qur mesh. Obviously as
25 you've heard today, there are several kinds of C-Qur mesh and

1 they're designed for different sized hernias and different
2 types of hernia repairs. The various models that I will
3 discuss today are all at issue in the MDL and the state court
4 proceedings.

5 The first is the C-Qur mesh. It was cleared to
6 market in March 2006. It's a flat sheet mesh, and it's
7 designed for open and laparoscopic hernia repair when a
8 tissue separating layer is needed. So the C-Qur mesh is one
9 of these barrier meshes that we were talking about which
10 would be appropriate to place directly against organs.

11 The C-Qur mesh is available in a variety of sizes,
12 all the way up to 12 by 18 inches, which would be a mesh for
13 an extremely large defect.

14 JUDGE TEMPLE: And you agree that that type of mesh
15 can be cut to size?

16 MS. OCARIZ: That is correct. Yes, this flat sheet
17 mesh can be cut to size.

18 The mesh also comes in smaller sizes. And so just
19 as a practical matter, it just wouldn't make sense for a
20 surgeon to take such a large piece of mesh to cut it smaller
21 because the more material that is purchased, the more
22 expensive it is. However, if that is all that was on the
23 shelf at the hospital, yes, the surgeon could take that.
24 It's a single use so you can't keep the rest of it for safe
25 keeping for later, but yes, you could cut it to size.

1 The next mesh in the C-Qur portfolio that's at
2 issue is C-Qur Edge, and we heard a little bit about this.
3 You can see that around the edge of the mesh there's a second
4 layer of ProLite mesh, and that's around the perimeter of the
5 mesh to ensure greater fixation and stability.

6 The C-Qur Edge also has a greater concentration of
7 the 03FA coating. And like the C-Qur mesh, this mesh is also
8 designed for open and laparoscopic hernia repair when a
9 tissue separating layer is needed.

10 The next mesh in the C-Qur portfolio is FX. This
11 was initially cleared to market via 510(k) on March 8, 2007.
12 The FX product line has a light coating, they call it a light
13 spray, of 03FA. This is designed for open and laparoscopic
14 hernia repair when a tissue separating layer is not needed.
15 So put differently, FX is not designed to be placed directly
16 against the organs.

17 So as it indicates on the slide, this is not a
18 barrier mesh, and so this is not appropriate for IPOM
19 placement. FX is also available in various sizes and shapes.

20 V-Patch was initially cleared to market via 510(k)
21 on April 16, 2008. The V-Patch is a round, double layer
22 patch with a mesh stabilizing ring and two extended fixation
23 straps.

24 This V-Patch is designed for the repair of small
25 hernias such as umbilical, epigastric, and we talked about

1 how in laparoscopic procedures that you make small incisions.
2 Those are to insert the instruments, some of which are
3 trocars, and so the V-Patch could be used to repair trocar
4 site defects and other abdominal wall defects.

5 TacShield was cleared to market on January 26,
6 2010. It comes in round, oval and oblong shapes. It is a
7 C-Qur mesh with a second layer of secure FX around the
8 perimeter, and you can see that it's kind of darker around
9 there. That is a second layer of mesh. And again, that is
10 designed for fixation and ease of handling during surgical
11 repair of medium to large size open ventral hernias. So you
12 would use TacShield when you have a large ventral hernia or a
13 medium-sized ventral hernia and you're going to do an open
14 repair, not a laparoscopic repair.

15 CentriFX was cleared to market on February 15,
16 2011. This was designed for a laparoscopic inguinal hernia
17 repair. And again, because it has this FX designation it is
18 not a barrier mesh, and so you would not use it in a
19 placement that would require the mesh to be against the
20 organs.

21 And the last product in the C-Qur portfolio is
22 Mosaic. Mosaic was cleared to market via 510(k) on April 26,
23 2012. Mosaic is a flat sheet mesh designed for open and
24 laparoscopic hernia repair when a tissue separating layer is
25 needed. So this is one of the barrier mesh products that you

1 can put up against the organs. Mosaic has the lowest 03FA
2 density coating of the C-Qur barrier meshes. And with
3 Mosaic, the coating is typically absorbed within about 3 to 4
4 months.

5 This is a slide that indicates the percentage of
6 each C-Qur product that is at issue in the MDL and state
7 court actions. And in looking at this chart it's important
8 to note that some of the plaintiffs had multiple hernias and
9 were implanted with more than one type of C-Qur product. But
10 what we see here is that the majority of the cases in both
11 the -- in the MDL and the state court proceedings combined
12 that we are aware of at this point are the C-Qur V-Patch.
13 And that was the little, small disk type product that had the
14 two fixation straps extending. Again, it was designed for
15 the repair of small hernias.

16 And Judge Temple, I believe that you had asked
17 whether there were more open procedures versus laparoscopic.
18 So at least within this litigation the V-Patch is designed
19 for an open procedure, not laparoscopic. So we would expect
20 that in the 40 percent of these V-Patch cases that those
21 would be open procedures.

22 So V-Patch represents 40 percent of the products at
23 issue in the MDL and the state court proceedings but is
24 followed closely by the regular C-Qur mesh and that
25 represents 39 percent of the products.

1 That's followed by TacShield, which we just
2 discussed. That is the product that has that extra layer of
3 ProLite around the edge, and it's designed to address medium
4 to large size open ventral hernias. And TacShield is at
5 issue in 13 percent of the cases.

6 And then finally C-Qur Edge, Mosaic, and CentriFX
7 represent 3 percent, 3 percent, and 2 percent respectively of
8 the cases in the MDL and the state court actions combined.

9 These numbers were compiled on Monday, and as of
10 Monday no cases involving C-Qur FX had been filed. However,
11 this week after we compiled these numbers a plaintiff filed a
12 case involving an FX device so that is not captured in this
13 slide, but because it is only a single case, that represents
14 less than one percent of the cases that have been filed thus
15 far.

16 So as of today there have been cases filed
17 involving each device in the C-Qur portfolio.

18 Moving on to complications encountered in hernia
19 repair. As with all surgical procedures, some of the
20 patients undergoing hernia repair will experience
21 complications. There are risks associated with every medical
22 device implantation. The patient needs to determine in
23 conjunction with their physician whether the benefits of
24 repairing the hernia outweigh the risks associated with the
25 procedure.

1 The most common complications for surgical hernia
2 repair with or without the use of mesh in the repair are
3 infection, pain, adhesions, hernia recurrence, bowel
4 obstruction, seroma, bleeding, fistula, and perforation.
5 This particular information, again, that was taken directly
6 from the FDA website based on what the website indicates was
7 a review of peer-reviewed literature and other publicly
8 available information.

9 All of these complications are well-known to the
10 medical community and to surgeons performing hernia repair
11 procedures. And not surprisingly, the most common adverse
12 events alleged by plaintiffs in the MDL and the state court
13 proceedings are these same adverse events well-known to the
14 medical community. So I'm going to discuss some of these
15 complications in greater detail.

16 You've heard a little bit about infection, but kind
17 of starting at the basics, some of the plaintiffs in the MDL
18 and the state court proceedings have alleged that they've
19 experienced infections or abscesses, but not all. An
20 infection is an invasion and multiplication of microorganisms
21 referred to as germs or bugs such as bacteria, fungi or
22 viruses.

23 An abscess is essentially a collection of pus that
24 has been built up within the tissue of the body, and
25 abscesses are generally caused by a bacterial infection.

1 There are countless types of bugs in and on the human body.
2 All surgical wounds will become contaminated with bacteria
3 during surgery, but not all wounds will become infected. And
4 that's because most patients' host defenses are capable of
5 controlling and eliminating the offending organisms when the
6 bacterial contaminants are not overwhelmingly destructive or
7 aggressive. Again, all surgical procedures have an
8 associated risk of infection regardless of the area of the
9 body or the use of an implant. The common denominator in
10 these procedures is that they all require a skin incision
11 which introduces a risk for infection.

12 A typical adverse event associated with surgery is
13 a surgical site infection, or SSI. A surgical site infection
14 is an infection that occurs after surgery in the part of the
15 body where the surgery took place. In the United States
16 there are approximately 2 million surgical site infections
17 annually. The primary source for these infections for most
18 surgical site infections is the patient's own microorganisms,
19 and the most common organisms associated with surgical site
20 infections are those naturally found on the patient's skin.

21 There are some people who have a history of
22 diabetes or chronic obstructive pulmonary disease, also known
23 as COPD, or other chronic illnesses. They tend to be more
24 heavily colonized with bacteria. Infections can also be
25 acquired from other patients, hospital staff, and

1 contaminated objects.

2 So half of the almost 2 million of SSIs that occur
3 each year are associated with implantable devices. Bacteria
4 adhere to the structural matrix of any implanted device by
5 creating a microenvironment called a biofilm, and different
6 devices generally and different mesh devices specifically
7 have differing abilities to withstand biofilm formation with
8 monofilament polypropylene constructing being a relatively
9 resistant type of device to a biofilm.

10 Atrium conducted some in-vitro testing that showed
11 that C-Qur mesh would not accentuate biofilm formation and
12 showed that C-Qur behaved similarly with respect to biofilms
13 with respect to many other competitor products.

14 One important point to keep note of when talking
15 about mesh infection, and that might be a term that you hear
16 throughout the course of this litigation, and that is that
17 the mesh itself is not the source of the infection. The mesh
18 has become contaminated by some type of a bug and an
19 infection has developed in and around the mesh.

20 As I mentioned before, the mesh comes first in the
21 cardboard envelope, then in this sterile pouch, and remains
22 in the pouch until it is opened in the sterile field and then
23 implanted in the patient.

24 So there are several surgical factors that can
25 increase a patient's susceptibility and risk of acquiring a

1 surgical site infection, and those include the duration of
2 operation. The longer the procedure, the greater the risk of
3 infection. Generally stated, if a hernia repair procedure
4 lasts more than two hours, that puts the patient at a higher
5 risk of developing an infection. Also, whether you have an
6 open procedure or a laparoscopic procedure. An open
7 procedure involves a much larger incision as opposed to the
8 smaller incisions that are made for the laparoscopic
9 equipment, and so an open procedure has a higher rate or risk
10 for infection.

11 The placement of the mesh also matters in terms of
12 the relevant risk associated for an infection. We spent a
13 lot of time today over the four various placements of the
14 mesh, and if the mesh is placed closer to the skin there's
15 actually a higher rate of infection.

16 Another type of surgical issue that increases the
17 risk of infection are the use of surgical drains. Some
18 surgeons may elect to place a drain within the abdomen of a
19 patient in an attempt to reduce the risk of post-operative
20 seromas. We heard a little bit about seromas today and I'll
21 go into it a little bit more later, but when you have a
22 surgical drain it can also act as a highway for bacteria to
23 travel from the outside of the body inside the body.

24 There are also several patient factors --
25 individual patient factors that affect a patient's

1 susceptibility to an infection. One of them is the American
2 Society of Anesthesiologists score, the ASA score. That is a
3 score from 1 to 5 that categorizes patients by their
4 preoperative physical fitness level, and a score of 1 is a
5 patient in normal health with 5 being a patient who's not
6 likely to survive 24 hours. When a patient has an ASA score
7 equal to or greater than a 3, that has been associated with a
8 significant increase in surgical site infection after hernia
9 repair.

10 Diabetes is also one of the major predictors of
11 post-surgery infection and complications. As you likely
12 know, diabetes is a systemic immunosuppressive disease
13 associated with various conditions that have also been shown
14 to have a devastating impact on wound healing and prognosis.

15 Obesity and body mass also play a role into the
16 likelihood of an infection. A person with a body mass index,
17 or BMI, greater or equal to 30 is considered to be obese, and
18 some studies suggest that hernia patients with a BMI greater
19 than 30 have twice the risk of developing an SSI following a
20 hernia repair than patients with a BMI lower than 30.

21 Malnutrition and age are also factors that increase
22 the risk for an infection.

23 Tobacco use. Smoking has been associated with
24 inhibited wound healing and decreased circulation.

25 Preexisting and previous infection, steroids and

1 immunosuppressants, medications that in any way compromise or
2 alter the body's immune response affect the body's ability to
3 fight off and defend against an infecting agent.

4 And then finally, any type of immunosuppressive
5 condition, such as HIV or AIDS, or if the patient is
6 undergoing chemotherapy, these are also examples of patient
7 factors that affect a patient's susceptibility to infection.

8 Not surprisingly, the plaintiffs in the MDL and
9 state court proceedings present with some risk factors for
10 infection. For example, in the MDL 58 plaintiffs have served
11 plaintiff profile forms, and this provides more information
12 than the general information that's provided in the short
13 form complaints.

14 Because we're pretty much at the beginning stages
15 of the filings in the MDL and some of the information is
16 somewhat limited in the state court proceedings, right now we
17 have information as to 58 plaintiffs out of the 186
18 plaintiffs that we were aware of as of Monday.

19 So for example, plaintiffs in the MDL and state
20 court proceedings of these plaintiffs who have reported, 60
21 percent of them are smokers. 31 percent of these plaintiffs
22 are obese. 21 percent of these plaintiffs have diabetes. So
23 in sum, really the take-away here is that there are a
24 multitude of factors that affect an individual patient's
25 likelihood of experiencing an infection after a surgical

1 procedure.

2 Moving on to abdominal adhesions. Adhesions can
3 cause tissues and organs in the abdominal cavity to stick
4 together. Adhesions can cause pain or intestinal
5 obstruction.

6 There are reports that 93 percent of patients who
7 undergo abdominal surgery develop abdominal adhesions.
8 There's no hernia mesh device that prevents the formation of
9 all adhesions. However, C-Qur did a study and it's
10 clinically demonstrated that C-Qur has less tenacious tissue
11 attachment than competitors, tenacious meaning they're
12 clinging tightly to the organs, so the adhesions are easier
13 to take down. I believe Mr. Matthews went through the
14 various degrees of strength that an adhesion may have.

15 JUDGE MCCAFFERTY: So tenacity and density would be
16 synonymous.

17 MS. OCARIZ: Tenacity is a term that's used with
18 adhesions. You will see in the literature references to
19 tenacious adhesions, and that just means in that context that
20 it's there, it's on tight. It's not a loose attachment.

21 Density I think is another concept.

22 JUDGE MCCAFFERTY: Okay. And I think in their list
23 of adverse reactions dense adhesions was listed under
24 problems caused by the C-Qur mesh.

25 MS. OCARIZ: That's right. And that's certainly

1 going to be an issue in this litigation, because I think that
2 the parties have different views on how C-Qur works and the
3 type of adhesions that occur when using this mesh.

4 JUDGE MCCAFFERTY: Okay. But density would be a
5 different thing than tenacity.

6 MS. OCARIZ: I'm actually not familiar with the
7 term of density adhesions. I think the way that it's being
8 used is probably used in the same context as tenacity. So
9 basically the plaintiffs' position is that the adhesions are
10 more tenacious, and we're taking the position that the
11 adhesions are not.

12 JUDGE MCCAFFERTY: Okay. Let me ask you also just
13 about infection, because infection is a common adverse
14 reaction to these -- the hernia repair.

15 But in the plaintiffs' list they listed chronic
16 infection, and I'm wondering, chronic would mean obviously
17 somebody who has repeated infections as a result of the
18 product?

19 MS. OCARIZ: So chronic is something that has
20 developed over time as opposed to something that is acute
21 which develops almost immediately or quickly.

22 It is Atrium's position that with respect to all of
23 these adverse reactions, that the rate of the adverse
24 reaction that has occurred with patients undergoing a hernia
25 repair using C-Qur is no greater than, and in some instances

1 less than, other similar hernia repairs using different mesh
2 products.

3 So again, I anticipate that this is going to be an
4 issue of dispute between the parties.

5 JUDGE MCCAFFERTY: And meshoma is listed in their
6 list as well as a side effect of C-Qur. That's not in --
7 it's not a common complication in your list.

8 MS. OCARIZ: So in a mesh repair one of the more
9 common potential adverse that can occur is mesh migration and
10 mesh shrinkage. So I believe the meshoma was referring to
11 the potential for the mesh to contract and to ball up. So
12 with a mesh repair that is one of the more common types of
13 adverse events.

14 In these cases so far with what we have been able
15 to track that is not as common as some of these other things
16 that we are discussing. And it's also the defendant's
17 position that proper surgical technique and proper fixation
18 is critical to aid against mesh migration or mesh
19 contraction. And the theory is that if the mesh is properly
20 affixed, it's not moving, and so it's physically much more
21 difficult for the mesh to contract. If it's properly
22 affixed, I don't want to say it can never ball up, but it
23 can't ball up because it's lying flat in its proper location.

24 And that's why Ms. Aytch talked about the
25 importance of fixation and proper surgical technique to make

1 sure that the mesh is in the right place and it's there until
2 you have the opportunity to get this adequate tissue
3 ingrowth, which then provides the strength of the repair and
4 keeps the organ in its proper anatomical position inside the
5 abdominal cavity.

6 JUDGE MCCAFFERTY: How about skin rash, is that
7 something unique to the C-Qur product?

8 MS. OCARIZ: Actually, there have not been many
9 reports of skin rashes in the cases that have been filed
10 based on the information we have thus far. Skin rashes are
11 not unique to C-Qur. There have been reports of skin rashes
12 with other polypropylene type of mesh products.

13 As I mentioned before, the coating itself is a
14 highly purified pharmaceutical grade fish oil and it is
15 removed of proteins and other type of allergens which are
16 typically the type of components that would cause an allergic
17 reaction.

18 So I hope that that answers your question.

19 JUDGE MCCAFFERTY: Yes.

20 MS. OCARIZ: There are also a number of things in
21 the surgical suite that could cause an allergic reaction.
22 There's an antiseptic called Betadine. As an anecdotal
23 report, we have heard of at least one case where the surgeon
24 decided to take the mesh, to dip the whole mesh in Betadine,
25 which is an antiseptic, I guess under the thought that that

1 would help decrease infection because, you know, if it's
2 completely dipped in an antiseptic, then the thought was, you
3 know, the infection will not -- there's a lower chance for
4 infection.

5 However, some people have reactions to Betadine.
6 And so when you dip the entire mesh, which is not called for
7 in the surgical protocol to apply any Betadine to the mesh,
8 and then it's implanted inside you, some people have
9 experienced an issue with that.

10 There are also other agents in the surgical suite.
11 Iodine, latex, antibiotics, all of those things can
12 contribute or can exacerbate a rash.

13 With bowel obstruction, as the name suggests, it's
14 a blockage in the bowel and the contents of the intestines
15 cannot pass through and exit the body. Bowel obstructions
16 can be caused by adhesions, which are those tissue
17 attachments of -- abnormal tissue attachments between tissue
18 to organ or organ to organ, which as previously mentioned has
19 been reported to occur in 93 percent of all abdominal
20 surgeries.

21 With seroma -- so seroma is a pocket of fluid that
22 sometimes develops after surgery, especially in procedures
23 that are extensive or involve significant tissue disruption.
24 And so seroma formation happens because the body is reacting
25 to a vacant space within the tissue.

1 There are some papers that contend that a hundred
2 percent of hernia patients develop seromas. The seromas can
3 either resolve on their own, or other times they need to be
4 treated with either a surgical drain or they need to be
5 otherwise procedurally handled.

6 So returning again to the slide showing the most
7 common complications arising from hernia repair with or
8 without mesh, again, with respect to the rates of occurrence
9 for these adverse events associated with C-Qur, Atrium found,
10 and it's the defendant's position, that the rates of
11 occurrence with these known complications with C-Qur products
12 was no greater than reported complication rates associated
13 with other hernia repairs.

14 So I thank you for providing us with the
15 opportunity to present on these issues. I'm available for
16 any additional questions. Otherwise, I know that Ms. Aytch
17 has some procedural issues that she needs to address with the
18 Court.

19 JUDGE TEMPLE: Thank you.

20 JUDGE MCCAFFERTY: Can I ask a few questions? You
21 mentioned that there were preclinical studies. Do you do
22 those as a company, because it looks as though -- are those
23 required somehow or is that just an internal?

24 MS. OCARIZ: Those were done before the product
25 goes to market, and it's my understanding that they were done

1 at the behest of the FDA or at least to facilitate the
2 process.

3 JUDGE MCCAFFERTY: Okay. And so preclinical
4 studies, do those happen with each product; do you know?

5 MS. OCARIZ: They happened with the initial C-Qur
6 product, and then I know that they happened before the launch
7 of some of the other products. If this is something that the
8 Court is interested in --

9 JUDGE MCCAFFERTY: I just was curious because you
10 had mentioned that there were preclinical studies done, so I
11 was curious because the process of FDA approval as described
12 by I think primarily Attorney Orent described just the
13 process of confirming that the newer product is substantially
14 similar to the previous product.

15 MS. OCARIZ: Right. There's a lot of latitude that
16 the FDA has in requiring what a manufacturer has to do before
17 the FDA will clear a product for sale and make it available
18 on the market. And so the FDA can require some type of
19 studies.

20 Mr. Orent is correct that typically those studies
21 are in vitro, meaning that they're either in a petri dish --
22 they're not in a human.

23 The preclinical studies that Atrium did, two of
24 them were small animal studies, which I believe were done
25 with rats, and one was a rabbit study.

1 JUDGE MCCAFFERTY: Okay. And one of the C-Qur
2 products involved the fish oil covering the pores completely.
3 Which product is that? Is that the FX?

4 MS. OCARIZ: No. So any of the products with the
5 FX, that has the light spray of the coating.

6 JUDGE MCCAFFERTY: Okay.

7 MS. OCARIZ: So that has a much lighter spray. Any
8 product that is a barrier mesh will have a thicker coating
9 and that was the thick size, and it's designed that way to be
10 a barrier between the polypropylene mesh and the organs.

11 JUDGE MCCAFFERTY: Okay.

12 MS. OCARIZ: But what happens with this -- so you
13 don't want ingrowth on that. What you want to have happen is
14 you want it to be absorbed and the new peritoneum will be
15 formed over it.

16 JUDGE MCCAFFERTY: Okay. But some -- the Mosaic is
17 a newer design which does have the force, right?

18 MS. OCARIZ: Yes. So what Mosaic is, is it has a
19 lighter concentration of the 03FA coating and it also -- it
20 doesn't cover every single pore. Again, the studies that
21 Atrium did on the C-Qur found that, let me see, that --
22 again, the coating is fully absorbed over time leaving the
23 polypropylene mesh incorporated with fully remodeled tissue.
24 So again, I think that this is a point of dispute between the
25 parties as to how the mesh actually performs.

1 JUDGE MCCAFFERTY: Okay.

2 JUDGE TEMPLE: Do you agree with Attorney Matthews
3 in terms of the basic scientific issues that are presented in
4 the case? And you can correct me if I'm wrong, but I think
5 some of the ones he mentioned were, for instance,
6 sterilization combined with fish oil issues, the smooth
7 versus rough side in terms of tissue not being able to get
8 into the mesh, the tack issue, and then we got on to sort of
9 the -- what you've been talking about recently, these
10 complications and whether the instructions and warnings are
11 enough, and I think you also mentioned degradation of the
12 polypropylene.

13 MS. OCARIZ: So some of what Mr. Matthews shared
14 today is newer information for us, but I think that it's safe
15 to say that any of the positions that the plaintiffs have set
16 forth today about how the mesh reacts to the extent that it
17 causes an increase in the rate of infection or causes any
18 type of an increased rate of adverse complications unlike any
19 other types of products on the market, that is certainly an
20 issue that we will deal with and that is something that the
21 defendants have a different take on.

22 JUDGE MCCAFFERTY: Thank you.

23 JUDGE TEMPLE: Thank you very much.

24 JUDGE MCCAFFERTY: Attorney Aytch.

25 JUDGE TEMPLE: Attorney Aytch.

1 MS. AYTCH: Thank you, your Honors. I'll only be
2 one moment.

3 Your Honor, Judge McCafferty, during our monthly
4 status conference in September, I believe on September 25th,
5 when discussing science day, the Court welcomed the
6 defendant, should a need arise, to re-raise the issue of
7 confidentiality. And I would like to renew the request that
8 a portion, not all of today's presentation, but a small
9 portion of Attorney Matthew's presentation be either sealed
10 or redacted. That portion deals with the interpretations of
11 Atrium's design and manufacturing process, including the
12 sources of the particular ingredients, which we contend is
13 proprietary information that is not publicly known, that is
14 confidential, and we would ask that those particular slides
15 and that particular portion of the transcript be either
16 redacted or sealed.

17 JUDGE MCCAFFERTY: All right. And this would be --
18 are you thinking we're talking about two pages of material?
19 Can you recall specifically?

20 MS. AYTCH: I can identify them. I'm sorry, your
21 Honor, I've not gone back through, but it would be like two
22 to three slides, no more than four.

23 MR. JAMES MATTHEWS: Can I say something?

24 JUDGE MCCAFFERTY: Sure.

25 MR. JAMES MATTHEWS: The slides where I would click

1 and it would go to the next -- it would show the distributor
2 and then it would show the maker of the polypropylene, and
3 then it would show that it was being -- those two slides I
4 think is what she's talking about.

5 MS. AYTCH: Correct, your Honor.

6 JUDGE MCCAFFERTY: Those two slides. And those two
7 slides being removed would be sufficient for you, or would
8 you want to also remove what he was saying to us?

9 MS. AYTCH: Correct. Because we contend that it
10 actually discloses information that is not publicly
11 available, and we wouldn't want it out in the public record.

12 JUDGE MCCAFFERTY: Do you have any problem with
13 just sealing that small portion?

14 MR. JAMES MATTHEWS: No.

15 JUDGE MCCAFFERTY: Okay.

16 MR. JAMES MATTHEWS: And if I did that, it was
17 inadvertent and I apologize. I believe we might disagree
18 with that, but we don't have any problems sealing that or
19 redacting that.

20 JUDGE MCCAFFERTY: Okay. I think you've given me
21 enough information for me to feel comfortable that that small
22 portion can be sealed.

23 In terms of process with respect to the transcript,
24 before we make that transcript public in any way we'll
25 obviously resolve this issue and have you notify us and our

1 stenographer of exactly what portion you want to be sealed
2 before we put it on the website.

3 MS. AYTCH: Thank you, your Honor, and I'll share
4 those portions with plaintiffs so they can have input into
5 that.

6 JUDGE MCCAFFERTY: All right. Okay. Anything,
7 Attorney Orent, Attorney Matthews?

8 MR. ORENT: Not from the plaintiffs, your Honor. I
9 do believe those are slides 42 and 43 just for the record,
10 but I can confirm that.

11 JUDGE MCCAFFERTY: Okay. Slides 42 and 43 then
12 will be removed from that PowerPoint exhibit, and we will
13 wait and then redact just a small portion from the actual
14 transcript before we put that on the website.

15 Okay. Is there anything further?

16 MS. AYTCH: Thank you, your Honor.

17 JUDGE MCCAFFERTY: You're welcome.

18 Now for bellwether trials, I'm just curious, do you
19 pick a certain sort of type of plaintiff, a plaintiff who
20 would perhaps fall in that score category of a 1 or a 2, and
21 maybe one of the two major products that are causing the
22 litigation? Is that how you intend ultimately to settle on a
23 bellwether, or have you not had discussions along those
24 lines? I'm just curious.

25 MR. ORENT: Your Honor, we haven't had formal

1 discussions in terms of the actual method that we would use
2 in this case. We've done it in several ways before. We've
3 done it where we select some objective criteria and both the
4 parties try and pick cases that work towards that criteria.
5 And we've had situations where each side picks X number of
6 cases and then we work them up and then have the Court narrow
7 the pool from that perspective.

8 One of the things that we are doing is that we've
9 been working with an online provider, essentially, that will
10 allow us to send the fact sheets to the defendants through a
11 secured hub, if you will, and it will allow both parties
12 access to aggregated information on the docket so that we
13 will have high-level information about the types of cases,
14 the complications on the cases, so that should ease in the
15 process, and I believe both parties have agreed to a single
16 vendor for that. So it is something that we are working
17 towards and we'll have that high level of information to be
18 able to share with the Court to aid in the bellwether
19 selection process.

20 JUDGE MCCAFFERTY: What was the percentage again,
21 Attorney Ocariz, of plaintiffs in the litigation who smoke?
22 Was it 60 percent? Did you say 60 percent?

23 MS. OCARIZ: Your Honor, I believe that, yes,
24 smoking was 60 percent and then obesity and diabetes were
25 around 30 or 20 percent. Again, this is based on very

1 limited information that's available to us. The best
2 information we have right now are the plaintiff profile forms
3 which provide a little bit more information than the
4 complaint, but obviously as more time passes we'll be able to
5 develop a more accurate profile of the plaintiff population.

6 JUDGE MCCAFFERTY: I was just thinking perhaps you
7 wouldn't want the ideal plaintiff in the case, you would want
8 to have a case that would be more indicative of sort of a
9 more typical plaintiff so you can get a sense of how the case
10 will ultimately come in with that kind of plaintiff.

11 MR. ORENT: Well, your Honor, I think it's
12 important, and maybe it wasn't said during the science day
13 presentation today, but the people who have hernia surgeries
14 and require mesh devices generally are not the healthiest of
15 people anyway. If obesity is a large factor, and perhaps one
16 of the largest factors in ventral hernias, you're going to
17 have a patient population that is tilted, and these devices
18 were actually designed for people with each of these factors.
19 And so this sort of goes into the population that was
20 expected, at least in our view, to receive these devices in
21 the first instance.

22 JUDGE TEMPLE: And in our selections I think for
23 the first bellwether trial, and you can correct me if I'm
24 wrong, I think are to have that trial begin in late August of
25 next year, right?

1 MS. AYTCH: Your Honor, you had granted us a
2 three-month extension, so I believe that that date is now
3 November 2018.

4 JUDGE TEMPLE: Oh, it is. Okay, great. That's why
5 I told you to correct me if I'm wrong.

6 MS. AYTCH: Thank you, your Honor.

7 JUDGE TEMPLE: I like the earlier dates better, but
8 I'm used to this at this point so thank you, Attorney Aytch.

9 JUDGE MCCAFFERTY: I think we're properly enmeshed
10 in the science.

11 JUDGE TEMPLE: Thank you very much.

12 JUDGE MCCAFFERTY: We appreciate it. Thank you.


13 JUDGE TEMPLE: It's been extremely helpful. Thank
14 you.

15 (Conclusion of hearing at 12:25 p.m.)
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E


I, Susan M. Bateman, do hereby certify that the foregoing transcript is a true and accurate transcription of the within proceedings, to the best of my knowledge, skill, ability and belief.

Submitted: 11-17-17


SUSAN M. BATEMAN, LCR, RPR, CRR
LICENSED COURT REPORTER, NO. 34
STATE OF NEW HAMPSHIRE

I, Susan M. Bateman, certify that the foregoing is a true and correct copy of this transcript incorporating redactions requested by the parties and approved by the Court in accordance with Judicial Conference policy. Redacted characters appear as a blank line on the paper copy and a black box on the electronic transcript

11-17-2017
DATED


SUSAN M. BATEMAN, LCR, RPR, CRR
LICENSED COURT REPORTER, NO. 34
STATE OF NEW HAMPSHIRE